

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

STATE OF LOUISIANA

DIVISION OF ADMINISTRATIVE LAW

DEPARTMENT OF NATURAL
RESOURCES

NO. 2022-6003-DNR-OOC

IN THE MATTER OF

HENNING MANAGEMENT, LLC
V. CHEVRON U.S.A., INC.

PUBLIC HEARING
BEFORE THE HONORABLE CHARLES PERRAULT

Taken on Monday, February 6, 2023
DAY 1
(pages 1 through 244)

Held at the DIVISION OF ADMINISTRATIVE LAW
COURTROOM 1
1020 Florida Street
Baton Rouge, Louisiana

REPORTED BY: DIXIE B. VAUGHAN, CCR

JUST LEGAL

9618 Jefferson Highway, Suite D-386

Baton Rouge, Louisiana

NEW ORLEANS * BATON ROUGE * LAFAYETTE
LAKE CHARLES * HOUSTON

(225) 291-6595
www.just-legal.net

(855) 900-5878
setdepo@just-legal.net

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

PAGE

APPEARANCES	4
EXAMINATION OF MIKE PURDOM	
DIRECT BY MR. GREGOIRE	19
VOIR DIRE BY MR. WIMBERLEY	26
VOIR DIRE BY MR. GREGOIRE	27
CROSS BY MR. WIMBERLEY	87
EXAMINATION OF PATRICK RITCHIE	
DIRECT BY MR. CARTER	98
CROSS BY MR. KEATING	115
EXAMINATION OF JOHN FRAZIER	
DIRECT BY MR. CARTER	132
CROSS BY MR. KEATING	155
EXAMINATION OF DR. JOHN KIND	
DIRECT BY MR. GROSSMAN	179
CROSS BY MR. WIMBERLEY	216
REPORTER'S CERTIFICATE	243

EXHIBITS OFFERED, FILED AND INTRODUCED

PAGE

PLAINTIFFS' EXHIBITS:

EXHIBIT E	(No description given)	179
EXHIBIT E-31	Figure and table from ICON's most feasible plan	178

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

DEFENSE EXHIBITS:

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

EXHIBIT 1	Chevron's Proposed feasible plan and attachments	93
EXHIBIT 3	Frazier's expert report	178
EXHIBIT 4	Dr. John Kind's report	240
EXHIBIT 5	Patrick Ritchie's report	131
EXHIBIT 18	Drone footage referred to in Mr. Purdom's testimony	155
EXHIBIT 45	RECAP, referred to in Mr. Purdom's testimony	93
EXHIBIT 46	29-B, referred to in Mr. Purdom's testimony	93
EXHIBIT 147	Mr. Purdom's CV	93

* * *

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 APPEARANCES :

2 REPRESENTING HENNING MANAGEMENT, LLC :

3 TODD WIMBERLEY, ESQUIRE
4 JOHN CARMOUCHE, ESQUIRE (VIA ZOOM)
5 Email: Twimberley@tcmlawfirm.com
6 JCarmouche@tcmlawfirm.com
7 Phone: (225)400-9991
8 TALBOT, CARMOUCHE & MARCELLO
9 17405 Perkins Road
10 Baton Rouge, Louisiana 70810

11 - AND -

12
13 MATT KEATING, ESQUIRE
14 Email: Mkeating@mbklaw.net
15 Phone: (337) 562-2327
16 MUDD BRUCHHAUS & KEATING, LLC
17 422 E. College Street, Suite B
18 Lake Charles, Louisiana 70605

19 REPRESENTING CHEVRON U.S.A. INC., ET AL.:

20 LOUIS M. GROSSMAN, ESQUIRE
21 Email: louis.grossman@keanmiller.com
22 Phone: (504)585-3050
23 KEAN MILLER, LLP
24 First Bank and Trust Tower
25 909 Poydras Street, Suite 3600
New Orleans, Louisiana 70112

- and -

L. VICTOR GREGOIRE, ESQUIRE
Email: victor.gregoire@keanmiller.com
Phone: (225)387-0999
KEAN MILLER, LLP
400 Convention Street, Suite 700
Baton Rouge, Louisiana 70802

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 APPEARANCES (Continued):

2

3 REPRESENTING CHEVRON U.S.A. INC., ET AL.:

4 TRACIE RENFROE, ESQUIRE
5 Email: trenfroe@kslaw.com
6 Phone: (225) 389-3770
7 KING & SPALDING LLP
8 1100 Louisiana, Suite 4100
9 Houston, TX 77002

10 - and -

11 JOHNNY CARTER, ESQUIRE
12 Phone: (713) 651-9366
13 SUSMAN GODFREY
14 1000 Louisiana
15 Suite 5100
16 Houston, TX 77002-5096

17 PANELISTS:

18 STEPHEN OLIVIER

19 JESSICA LITTLETON

20 GAVIN BROUSSARD

21 CHRISTOPHER DELMAR

22

23

24

25

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 (PROCEEDINGS COMMENCING AT 9:02 A.M.)

2 JUDGE PERRAULT: We're on the record.
3 Today's date is February 6th, 2023. We're in
4 Baton Rouge, conducting a hearing for the
5 Case Docket No. 2022-6003-DNR-LLC in the
6 matter of Henning Management LLC versus
7 Chevron USA Incorporated. This case has been
8 remanded to the Department of Natural
9 Resources by US District Court Western
10 District of Louisiana Judge James Cain for
11 the development of the most feasible plan in
12 accordance with Louisiana Revised Statute
13 Title 30, Section 29. I'd like the parties
14 to make their appearance on the record and
15 we'll start with Chevron.

16 MR. GREGOIRE: Good morning, Your Honor,
17 panel members. Victor Gregoire on behalf of
18 Chevron USA.

19 MR. GROSSMAN: Good morning. Louis Grossman
20 on behalf of Chevron USA.

21 MS. RENFROE: Good morning, Your Honor and
22 panel members. Tracie Renfroe also on behalf
23 of Chevron USA.

24 MR. CARTER: Good morning. Johnny Carter,
25 also on behalf of Chevron USA.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 MR. BRYANT: Good morning. Mitchell Bryant
2 on behalf of Chevron USA.

3 JUDGE PERRAULT: All right. And for Henning
4 Management.

5 MR. CARMOUCHE: Good morning. John Carmouche
6 on behalf of Henning Management.

7 MR. WIMBERLEY: Good morning. Todd Wimberley
8 on behalf of Henning Management.

9 MR. KEATING: Good morning. Matt Keating on
10 behalf of Henning Management LLC.

11 JUDGE PERRAULT: And like the panel of
12 experts who are going to hear the case to
13 make their appearance on the record. And
14 we'll start here. Just give your name, your
15 agency, and your area of expertise, please.

16 PANELIST LITTLETON: Jessica Littleton,
17 petroleum scientist with the environmental
18 division of the Department of Natural
19 Resources.

20 PANELIST DELMAR: Chris Delmar, petroleum
21 scientist supervisor. I'm a geologist with
22 the environmental division of the Department
23 of Natural Resources.

24 PANELIST OLIVIER: Stephen Olivier, petroleum
25 scientist manager with the Office of

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Conservation, environmental division.

2 PANELIST BROUSSARD: Gavin Broussard,
3 petroleum scientist manager with the Office
4 of Conservation, engineering division.

5 JUDGE PERRAULT: Thank you.

6 And Mr. Olivier, you're the panel
7 coordinator; is that correct?

8 PANELIST OLIVIER: Yes, sir.

9 JUDGE PERRAULT: Do we have any questions
10 before we begin? If not, any motions
11 questions, then I'll ask Chevron to present
12 their case.

13 MR. GREGOIRE: Good morning, Your Honor,
14 panel members. I'd like to present a brief
15 opening statement.

16 JUDGE PERRAULT: That's fine.

17 MR. GREGOIRE: If it pleases the panel.

18 Judge Perrault, LDNR panel members, as I
19 mentioned earlier, I'm Victor Gregoire. I
20 represent Chevron USA along with my
21 colleagues Tracie Renfroe, Lou Grossman,
22 Johnny Carter, and Mitchell Bryant. It's a
23 pleasure to be here before you today for this
24 administrative hearing. We thank you for
25 giving Chevron the opportunity to present a

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 plan to address the environmental media and
2 constituents at the Henning property.

3 We know that your job is a challenging
4 one, yet it's a very significant one in that
5 competing most feasible plans have been
6 submitted by both parties; that is, Chevron
7 and the landowner, Henning Management. And
8 you have been tasked by the Louisiana
9 legislature and presiding court to review the
10 sampling data and to provide your technical
11 expertise in arriving at a most feasible plan
12 to address environmental constituents at the
13 property, particularly in the soil and
14 groundwater.

15 We are here, as you know, because the
16 Louisiana legislature adopted a procedure
17 that we all know is commonly referred to as
18 Act 312. It allows an oil and gas company to
19 admit responsibility for environmental
20 damage, which is defined as actual or
21 potential impact under the statute at oil
22 field properties which are under the
23 jurisdiction of the Office of Conservation.
24 Chevron admitted potential impact to
25 environmental media. It filed a limited

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 admission as to discrete areas of soil and
2 groundwater in this property. So this issue
3 has been referred to you for adjudication and
4 to arrive at a most feasible plan for the
5 property.

6 The legislature has delegated to you,
7 the Office of Conservation, as the regulatory
8 body with the technical expertise to review
9 the sampling data and to apply, more
10 importantly, applicable regulations to arrive
11 at a most feasible plan for the property that
12 is protective of human health and the
13 environment.

14 There should be no dispute, as you will
15 see in the testimony today and this week,
16 what the applicable regulations are; namely,
17 29-B and RECAP. And panelists before you
18 have applied those very regulations in
19 arriving at a most feasible plan for the
20 property.

21 Those panels have included Office of
22 Conservation panels in the East White Lake
23 matter, Poppadoc, Hero Lands, Louisiana
24 Wetlands, and Newman, to name a few. We ask
25 that you panel members arrive at a most

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 feasible plan in this case after hearing the
2 testimony and evidence submitted within the
3 next couple of weeks that is commensurate and
4 consistent with the methodology that this
5 agency has applied on numerous occasions,
6 including under the most feasible plans that
7 I mentioned to you earlier.

8 We are aware of Judge Cain's ruling in
9 this case, and we're not here to argue about
10 that ruling or its scope. The ruling is
11 there, and I'm sure you have reviewed it and
12 know what the ruling provides. That ruling
13 is the subject of legal filings in the
14 federal court proceeding. But as I mentioned
15 to you, we ask that you, the panel, use your
16 technical expertise and your knowledge of the
17 applicable regulations to arrive at that plan
18 that is the most feasible, which is defined
19 in statute as the most reasonable -- and
20 that's important: The most reasonable -- to
21 protect human health and the environment. We
22 just ask for consistency in approach in your
23 methodology that you've used in prior Act 312
24 proceedings and most feasible plans.

25 Chevron's experts, as you are aware,

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 have provided you with a most feasible plan
2 that addresses the soil and groundwater at
3 this property. And those experts have
4 arrived at conclusions as to what the
5 proposed feasible plan, which is the most
6 reasonable plan, should be by implementing
7 the very methodology, the same or similar
8 methodology that some of you panel members
9 and other panel members have used and arrived
10 at in prior most feasible plans.

11 And at the end of the day, you're going
12 to hear testimony from the experts from both
13 sides. But Chevron's experts will show to
14 you, through numerous disciplines, starting
15 with geology, hydrogeology, ecology and
16 ecological risk assessment, human health risk
17 assessors, radiological assessors, that the
18 constituents found at this property,
19 including the soil and groundwater, pose no
20 threat or risk to human health and the
21 environment. That's the very -- that's the
22 very responsibility that you have as
23 delegated by the Louisiana legislature as
24 codified in Act 312: To arrive at a plan
25 which is protective, which is protective and

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 most reasonable in protecting the human
2 health, public safety, and environment.

3 We will present those witnesses to you
4 throughout the week; and the plaintiff, the
5 landowner, will submit its witnesses to you
6 as well. We encourage you to ask questions
7 as we present our witnesses and the testimony
8 that they have.

9 We thank you again for your time and we
10 look forward to working with you this week
11 and next.

12 JUDGE PERRAULT: Would Henning like to make
13 an opening statement?

14 MR. CARMOUCHE: Good morning. John Carmouche
15 on behalf of Henning Management. I'll try to
16 be a little less formal and just talk to you
17 as scientists.

18 Unfortunately, we're here to apply
19 rules. And there were rules that were set by
20 the legislature, 2006 and on. And that is
21 what -- those rules is what you have to
22 follow today. And the judge in this case has
23 told us what those rules are. We have, as
24 lawyers and as Chevron, agreed to an EMO,
25 which do not -- you weren't a part of. We

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 agreed with the judge, a federal judge in
2 Lake Charles, that we would take time and
3 spend the money to sample this property, soil
4 and groundwater, for months, spend hundreds
5 and hundreds of thousands of dollars on
6 sampling and then, at that point, when
7 everybody knew what the data said and if you
8 need more time to actually know what's on the
9 property, soil and groundwater, then ask for
10 more time to sample so when we got here, you
11 would know what is on the property. There
12 should be no question. That's what they
13 agreed to.

14 So we did all of the sampling. We
15 didn't choose. You didn't choose to be here.
16 They chose to be here today. They chose
17 under the statute to admit that the property
18 was contaminated, is contaminated, and that
19 there is environmental damage. And when they
20 did that, there was consequences because the
21 rules we have to follow tell us what they
22 need to follow. They need to follow the
23 rules.

24 Can you put it up, please?

25 This is what they admitted.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Contamination. This is what you have to
2 follow as to what they admitted this property
3 is. "The introduction of substances or
4 contaminants into a useable groundwater
5 aquifer, an underground source of drinking
6 water."

7 Okay. So the first thing they admit is
8 that there's presence of substances or
9 contaminants in the drinking water aquifer.
10 It doesn't say that I'm admitting
11 introduction or presence of substance or
12 contaminants into a nonusable aquifer. It
13 doesn't say that. It doesn't say that the
14 water can't be used. It says: I, Chevron,
15 am admitting that there are contaminants in a
16 drinking water aquifer.

17 "Or soil in such quantities as to render
18 them unsuitable for their reasonable intended
19 purposes." So they recognize and admit to
20 you that there are substances and
21 contaminants and that the soil is unsuitable
22 for its intended use. That's what they
23 admitted, and that's what you have to assume
24 today because that's what they admitted to
25 you and to the judge.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Environmental damage. Mr. Gregoire went
2 over it. He just left out a little part:
3 "Shall mean any actual or potential damage or
4 injury to environmental media caused by
5 contamination."

6 So first we start with contamination,
7 and then you can have potential impact from
8 that contamination. But first, it has to be
9 caused by contamination and then you go back
10 to the definition of "contamination."

11 So right now, we stand here in front of
12 you today knowing this: We have a drinking
13 water aquifer that has contaminants in it and
14 we have soil that can't be used.

15 So just to be sure, we asked the judge
16 that sits over this case to interpret what
17 they admitted to make sure that you, us, and
18 them knew what rules we were playing with.

19 So go to the next page, please.

20 And this is what the court said. So we
21 gave that argument that I just gave you to
22 the judge, and he says, "The court agrees
23 with Henning's interpretation and finds that
24 the property subject of this suit is not
25 suitable for its intended use, as Chevron

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 admitted to the court in its limited
2 admission."

3 Next, please.

4 This is the judge's ruling which applies
5 to you. "After the public hearing, LDNR
6 shall approve or structure a feasible plan
7 incorporating the court's finding that, as a
8 result of Chevron's limited admission,
9 Henning's property contains contamination and
10 is not suitable for its intended use.

11 Ultimately, based on the court's finding of
12 contamination, the public hearing and the
13 parties submitted plans, LDNR shall, within
14 the time frame permitted under Act 312,
15 submit to a court a feasible plan to" -- and
16 it quotes the statute. It says -- doesn't
17 say "evaluate." Feasible plan definition
18 says: "To remediate contamination from oil
19 field and exploration and production
20 operations or waste."

21 To remediate contamination. Go back to
22 the definition of "contamination." Drinking
23 water aquifer and soil that can't be used.

24 So today, I ask that when they put up
25 witnesses today or tomorrow and they say the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 water's not a drinking water aquifer and they
2 say the soil can be used for its intended
3 purpose, remember what the judge says. But
4 you can read the statute. You can read the
5 definition of "contamination." These are
6 rules we have to follow. These are rules
7 that were set by the legislature.

8 This -- you can't just throw away the rules
9 that we have to act under. And the State of
10 Louisiana asks that you, as panel members,
11 follow the rules set even if you don't like
12 them. You might not like them. You might
13 not agree with the definition of
14 "contamination." You might not agree with
15 what the legislature says. But those are the
16 rules that we follow. And all I ask you
17 today is, at the end of this hearing, is to
18 follow the rules. That's all we ask for
19 you -- from you and thank you.

20 JUDGE PERRAULT: Thank you.

21 Chevron, please proceed.

22 MR. GROSSMAN: Chevron will call its first
23 witness, Mike Purdom.

24 MR. GREGOIRE: Your Honor, if I may approach?
25 We have a hard copy of the slide deck that

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Mr. Purdam will use today. It's also going
2 to be broadcast on the network for your
3 convenience and the panel members.

4 JUDGE PERRAULT: Mr. Purdam, would you please
5 state your name for the record.

6 THE WITNESS: Michael T. Purdam.

7 JUDGE PERRAULT: And spell your last name.

8 THE WITNESS: PURDOM.

9 MIKE PURDOM,

10 having been first duly sworn, was examined and
11 testified as follows:

12 DIRECT EXAMINATION

13 BY MR. GREGOIRE:

14 Q. Good morning. Can you state your name
15 for the record?

16 A. Yeah. Mike T. Purdom.

17 Q. And Mr. Purdom, what is your occupation?

18 A. I'm a geologist.

19 Q. And where do you work?

20 A. At Environmental Resources Management,
21 also ERM.

22 Q. And tell us a little bit about what ERM
23 Management is and what your responsibilities are
24 at ERM Management.

25 A. ERM is an environmental consulting firm.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 I am based here in Baton Rouge, and I am a partner
2 within the Gulf business unit. I'm the area
3 manager for the Gulf Coast area.

4 Q. And how long have you been employed by
5 ERM?

6 A. Four years.

7 Q. Tell us a little bit about what you do
8 at ERM.

9 A. So I have kind of dual responsibilities.
10 One, with my area manager role, I have some
11 operational responsibilities for our Gulf Coast
12 area; and then, secondly, I do soil and
13 groundwater investigations through our what we
14 call our LPMR group. It's the Liability Portfolio
15 Management & Remediation.

16 Q. And how long have you been doing that
17 type of site assessment, evaluation and
18 remediation work at ERM or others?

19 A. Coming up on 30 years. I believe it's
20 29 now.

21 Q. Okay. And you've worked as your -- as
22 your presentation reflects, on over 500 geological
23 site characterizations?

24 A. I have.

25 Q. And that includes site characterizations

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 that fall under the jurisdiction of LDEQ and LDNR?

2 A. That's correct.

3 Q. And that would include application of
4 RECAP and 29-B?

5 A. Yes.

6 Q. By whom were you hired in this matter?

7 A. Through Kean Miller on behalf of
8 Chevron.

9 Q. And talk a little bit about the areas of
10 expertise; and that is, the areas that you
11 consider yourself to have sufficient training and
12 education and knowledge to be an expert in
13 connection with what you have done throughout your
14 career.

15 A. Yeah. So over the 30 years, I've -- my
16 areas of expertise include site assessment, you
17 know, characterizing the subsurface geological
18 conditions that are at a site, looking at
19 groundwater aquifers to characterize them and
20 understand the groundwater characteristics,
21 including subsurface geology, also done site
22 remediation across the state and the application
23 of the regulatory standards and procedures.

24 Q. And before we move on with your career
25 and what you have done as a scientist, a geologist

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 and hydrogeologist, where did you go to school?

2 A. LSU here in Baton Rouge.

3 Q. And what degree or degrees did you
4 obtain?

5 A. Bachelor of Science in geology.

6 Q. So have you rendered expert analysis in
7 connection with the evaluation or remediation of
8 the environmental media at onshore properties in
9 Louisiana?

10 A. Yes. Quite a few.

11 Q. That would include oil field sites?

12 A. Yes.

13 Q. You've also done some underground
14 storage tank work?

15 A. I have.

16 Q. You've also worked with chemical plants?

17 A. Yes. I've done work across a wide
18 variety of industrial, petrochemical, pulp and
19 paper, oil field, midstream facilities across the
20 state of Louisiana, really across the Gulf Coast
21 area.

22 Q. Okay.

23 Have the constituents of concern that
24 you have worked with in the past included
25 chlorides?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. Yes.

2 Q. They included heavy metals?

3 A. Yes.

4 Q. Petroleum hydrocarbons?

5 A. Yes.

6 Q. Radium?

7 A. Yes.

8 Q. Have they also included naturally
9 occurring constituents such as iron, manganese and
10 sulfate?

11 A. Yes, they have.

12 Q. Have you worked with all environmental
13 media; that is, soil, sediment and groundwater?

14 A. Yes, I've worked with all three of
15 those.

16 Q. Have you represented clients before the
17 Louisiana Department of Natural Resources?

18 A. I have prepared -- worked with the
19 Department of Natural Resources on documents.
20 I've not been a part of a panel like this before.

21 Q. You hadn't been a part of the hearing,
22 but you've represented clients before the
23 Louisiana Department of Natural Resources outside
24 of the hearing context; right?

25 A. That's correct.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. Have you represented clients before the
2 Louisiana Department of Environmental Quality?

3 A. Yes.

4 Q. Let's talk a little bit about your
5 licensure.

6 A. Sure. So I obtained my professional
7 geologist license with the state of Texas in 2003
8 upon the initial offering of the state of Texas
9 opening that up for licensure. Then in 2010, I
10 obtained my professional geologist license in the
11 state of Mississippi. And then in 2014, when the
12 geoscience -- the Louisiana Board of Geologists
13 opened that up, I obtained my PG in Louisiana and
14 I've kept and retained all three of those licenses
15 since I obtained them.

16 Q. And you may be somewhat repetitive of
17 your testimony earlier, but I want you to hone in
18 on your experience in Louisiana in site
19 characterization and evaluation and remediation of
20 various onshore sites. Can you describe for the
21 panel that experience that you have?

22 A. Certainly. So I graduated from geology
23 and -- with -- in geology from LSU in 1994, came
24 out of school and immediately began working as an
25 environmental geologist. And so those were my

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 first investigations in Louisiana sites.

2 As Mr. Gregoire -- we talked about
3 earlier, over 250 oil and gas-related sites, many
4 of these being midstream: Pipelines, compressor
5 stations, metering stations, but as well as some
6 oil field E&P production sites.

7 I've worked on two Louisiana Superfund
8 sites and then kind of a broad range of experience
9 across EPA brownfield sites. I've done quite a
10 few of those, specifically here in the Baton Rouge
11 area and across Louisiana. Petrochemical, pulp
12 and paper, power, power sites across Louisiana and
13 the Gulf Coast.

14 Again, 28, I believe coming up on 29
15 years now, of Louisiana experience. And
16 throughout that time, I've worked closely with the
17 Louisiana regulators in evaluating and remediating
18 properties at these sites.

19 MR. GREGOIRE: So at this point, I'll file
20 and offer Mr. Purdom's curriculum vitae which
21 is identified as Exhibit 147 of Chevron's
22 exhibits.

23 JUDGE PERRAULT: Exhibit 1.7?

24 MR. GREGOIRE: Yes, sir.

25 And I'd also tender Mr. Purdom as an

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 expert in geology, hydrogeology, site
2 characterization, soil and ground water
3 investigation and remediation, and the use of
4 the applicable regulatory framework,
5 including 29-B and RECAP.

VOIR DIRE EXAMINATION

6
7 BY MR. WIMBERLEY:

8 Q. Mr. Purdom, I'm Todd Wimberley. I
9 deposed you earlier last year. Do you remember
10 that?

11 A. I do.

12 Q. At that time, you'd told me that you'd
13 never been qualified as an expert in a court of
14 law in any court; is that correct?

15 A. I've never been offered up as an expert.

16 Q. You've also told me that are not an
17 expert in 29-B. Do you remember that?

18 A. I remember saying I'm not an expert in
19 29-B, but I am -- I have -- an expert in applying
20 the regulatory standards, which I've done in 29-B
21 cases.

22 Q. But you're not an expert in 29-B?

23 A. I'm an expert in application of
24 regulatory standards, yeah.

25 Q. And you're not an expert in human health

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 risk assessment?

2 A. I'm not an expert in human health risk
3 assessment.

4 Q. You didn't calculate the background at
5 this property in the soil or groundwater; correct?

6 A. We -- we, ERM --

7 Q. You personally.

8 A. I did not personally.

9 MR. WIMBERLEY: I think that's all I have.

10 JUDGE PERRAULT: Redirect?

11 VOIR DIRE EXAMINATION

12 BY MR. GREGOIRE:

13 Q. Mr. Purdom, on how many occasions have
14 you applied 29-B in connection with your site
15 characterization, evaluation, and remediation of
16 various onshore sites in Louisiana?

17 A. Of 29-B specifically? I know of at
18 least 20 sites that I've done 29-B.

19 Q. And you don't purport to be a human
20 health risk assessor; correct?

21 A. Correct.

22 Q. But you're aware of the regulatory
23 framework as embodied in RECAP; correct?

24 A. Absolutely.

25 Q. How many times have you used RECAP in

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 connection with site characterization, evaluation,
2 and remediation?

3 A. It's over 100 sites.

4 JUDGE PERRAULT: Any objection to this
5 witness being an expert?

6 MR. WIMBERLEY: We object to him being an
7 expert in 29-B, as admitted.

8 JUDGE PERRAULT: What does Chevron say to
9 their objection to 29-B?

10 MR. GREGOIRE: Your Honor, Mr. Purdom has
11 testified he's used 29-B extensively in his
12 work in representing various clients in
13 Louisiana.

14 JUDGE PERRAULT: I'll overrule the objection.
15 I'm going to allow it.

16 And state again what areas he's...

17 MR. GREGOIRE: Sure. Geology, hydrogeology,
18 site characterization, soil and groundwater
19 investigation and remediation, and the use of
20 the applicable regulatory framework,
21 including RECAP and 29-B.

22 JUDGE PERRAULT: Okay. He shall be allowed
23 as an expert in those fields.

24 DIRECT EXAMINATION

25 BY MR. GREGOIRE:

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. So Mr. Purdom, can you describe for the
2 judge and the panelists a road map of what you
3 will testify about today?

4 A. Sure. I know I met a number of you on
5 the site, and so we'll just go through and talk
6 about the chronology, what occurred at the site
7 through our records that we've obtained, we'll
8 look at the site setting of the property itself,
9 and then we'll also be looking at the Chevron most
10 feasible plan areas, including a sampling survey
11 to go over with some of the results.

12 Q. So you're first going to address the
13 chronology of uses at the property; is that right?

14 A. That's correct.

15 Q. Tell us a little bit about what you did,
16 and others at ERM, in preparing your understanding
17 of the various historical uses at the property.

18 A. Yes. So we had multiple areas that we
19 are -- and sources of information that we
20 obtained. So that being actual records from the
21 Chevron files that we were able to review and look
22 at. We also looked at the Department of Natural
23 Resources SONRIS database to go through all of the
24 records of wells and any historical activities
25 that had gone on at the site, and we also included

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 aerial photography. So we went back and looked at
2 aerial photography, starting from 1940 moving up
3 until the present day, to understand the operation
4 that had occurred at the site.

5 Q. So we start with your chronology with
6 the beginning of oil and gas operations on the
7 property?

8 A. Yes. So it's beginning in 1938.

9 Q. What occurred next as far as it relates
10 to the Chevron entity that operated at this
11 property?

12 A. Yes. So Chevron or its predecessor,
13 Gulf, operated starting in 1941 and operated at
14 the site up until 1984.

15 Q. Did other oil and gas properties [sic]
16 operate on the Henning property during the time
17 that Chevron operated?

18 A. They did, yes.

19 Q. And what companies were those?

20 A. We've got it outlined here. H.L.
21 Hawkins, Shell, Coastal States Gas, and there were
22 other entities that also operated.

23 Q. And when did Chevron's operations end?

24 A. In 1984.

25 Q. Did other oil and gas companies operate

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 or continue to operate on the property after that
2 point in time?

3 A. Post-Chevron, yes, they did.

4 Q. And so next, we have, as everyone is
5 aware, the amendments to 29-B occurred in 1986.
6 Is that right?

7 A. That's right.

8 Q. And that was two years after Chevron
9 ended its operations on the property?

10 A. Correct.

11 Q. And RECAP was promulgated in what year?

12 A. 1998.

13 Q. Okay. Now, we move forward,
14 fast-forward to 2017. And we have an
15 environmental site evaluation which was prepared
16 for the Henning property. Can you describe and
17 talk about that?

18 A. Yes. So a lot of times -- well, most
19 times when someone is purchasing a property,
20 lenders or -- in order to evaluate the property,
21 an environmental site evaluation, often referred
22 to as a Phase 1 ESA, will be conducted at the
23 site.

24 In 2017, the Henning Management did
25 authorize an environmental site evaluation by

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Arabie & Associates to evaluate the site prior to
2 purchase.

3 Q. So Henning Management retained an
4 environmental consultant to review the property
5 for any potential environmental impacts before he
6 purchased it?

7 A. That's correct.

8 Q. That entity was Arabie & Associates?

9 A. That's correct.

10 Q. Is that the same Arabie & Associates
11 that landowners have typically filed in these
12 legacy lawsuits to defend them?

13 A. Yes, it is.

14 Q. And so we fast-forward to 2019, when the
15 lawsuit was filed; is that right?

16 A. Yes.

17 Q. And since that time, there have been
18 various investigations, sampling, and reports that
19 were provided both in the litigation and leading
20 up to the most feasible plans that were filed in
21 this case; right?

22 A. That's right. Those field
23 investigations were conducted from 2019 through
24 2022, and we'll get into, a little bit later, some
25 of the extensive investigation that was done.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. Let's talk a little bit about the site
2 setting and your understanding of that setting.
3 And we'll start with the limited admission areas.
4 Can you explain what the boxes that are delineated
5 in different colors are?

6 A. Sure. So the black and white, kind of,
7 checkered pattern, as we'll say it, what's shown
8 here is the actual property boundary for Henning
9 Management. And then what we have here is Areas
10 1 through 9 outlined, and those are the limited --
11 well, the areas of investigation. Chevron limited
12 admission areas are Areas 2, 4, 5, 6, and 8.

13 There is two other areas, Areas 1 and 9,
14 that are kind of dashed gray lines. Those are
15 ICON-identified background areas, and then Areas 3
16 and 7 are areas that were not operated by Chevron.

17 Q. So let's move next to the actual site
18 setting. What do you know about this particular
19 site?

20 A. Yes. So up towards the very north --
21 I'm seeing if I can get my -- oops.

22 Can you go back? I'm trying to get my
23 pointer going.

24 To the very north of the property -- of
25 the picture here, you see the southern part of the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 town of Hayes, Louisiana. It's approximately
2 1262, so about two square miles, located at the
3 border of Calcasieu and Jefferson Davis Parishes.

4 You see there's kind of a curved line
5 that you see. That's the Louisiana Highway 14,
6 which bisects the property. And so on the east
7 side, you see primarily active rice farming and on
8 the west side of the property is predominantly
9 fallow field. You can see a water body on the
10 kind of far right side of the property, which
11 actually comes across the property at some point
12 on the very eastern side, and that is Bayou
13 Lacassine. And the land uses have been primarily
14 rice farming and oil and gas for approximately the
15 last 80 years.

16 Q. Did you visit this site, Mr. Purdom?

17 A. I did. My first visit was December of
18 2021. I went two more times in 2022 and then a
19 fourth time with the DNR representatives. I think
20 it was October of 2022.

21 Q. Did you visit the limited admission
22 areas that you just testified to during your site
23 visits?

24 A. I did.

25 Q. Okay. Did you notice any surficial

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 salt-scarring or other evidence of Chevron's oil
2 and gas operations other than the -- what we'll
3 talk about a little later as the blowout area?

4 A. Yeah. Other than the -- there was no
5 surficial scarring or any type of indication of
6 impacts.

7 Q. So can you describe for the panel and
8 the judge the site topography?

9 A. Yes. So this is a USGS topo map, and it
10 basically shows the elevation of the property.
11 You're sloping -- you're gently sloping from about
12 6 feet above mean sea level towards kind of the
13 north, northwest portion, coming down to about
14 zero feet above mean sea level or at mean sea
15 level towards the southeastern part of the
16 property.

17 Q. And also describe for the panel members
18 the elevation, surface elevation at the property.

19 A. So this is LiDAR data that we -- Light
20 Detection and Ranging Data that we pulled as well.
21 It confirms really what the previous map showed,
22 showing the elevations being about 6 feet above
23 mean sea level towards the north, northwest,
24 gently sloping to about a zero over towards the
25 south, southeastern part, going towards Bayou

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Lacassine.

2 Q. And you also performed research about
3 the flood zone capacity in the area?

4 A. We did. So this representation, here
5 again, you see the property outlined in the black
6 and white. So we are shown within the base
7 floodplain, according to the FEMA zone maps, which
8 showed about a 1 percent annual chance of
9 flooding.

10 Q. And you also performed research about
11 the wetlands characteristics in this area,
12 including the property; is that right?

13 A. That's right.

14 Q. What did your research reflect?

15 A. So this is a map from the U.S. Fish and
16 Wildlife Service, showing the wetlands that were
17 mapped. The majority of the property is shown as
18 not being wetlands, but you do see, over towards
19 the eastern side, we do have some freshwater
20 emergent wetlands over towards Bayou Lacassine, as
21 well as some forest -- freshwater forested shrub
22 wetland. And then you do see also another little
23 area to kind of the north, northwestern side where
24 there's some freshwater emergent wetlands.

25 Q. And on the northwestern side of the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 property, that's the location where the blowout of
2 one of Gulf's wells occurred; is that right?

3 A. That's correct. And you can actually
4 see it here mapped in the little blue circle on
5 the northwestern side.

6 Q. So that blowout location is located in a
7 wetlands area, as opposed to uplands?

8 A. It is.

9 Q. And describe for the panel what this
10 means, the drainage basin subsegment, as it
11 relates to the property.

12 A. Yes. As the panel's probably aware,
13 Louisiana Department of Environment Quality maps
14 the -- basically the drainage within areas to see
15 where it's captured and where it flows.

16 So you see the small black and white box
17 here. That again is our property. The yellow
18 line -- or the yellow outline indicates the DEQ
19 drainage subsegment. So in this case, it's
20 Lacassine Bayou from headwaters towards Grand
21 Lake; and those designated uses are primary and
22 secondary contact recreation, fishing and wildlife
23 propagation, and then agriculture.

24 Q. What is the composition of the shallow
25 soils at the property?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. Primarily consisting of clays and silts,
2 and this is a map from the USGS showing that.
3 This is actually confirmed too with our actual
4 on-site, our soil boring logs that we took. So
5 when we were collecting the samples, we would see
6 the same thing.

7 There is -- go back, if you don't mind
8 just real quick.

9 So there's a little bit of an alluvial
10 deposit over towards Lacassine Bayou and, again,
11 in that sliver going towards the northwest part of
12 the property where the wetlands were shown.

13 Q. And if you can describe the surface soil
14 characteristics at the property?

15 A. Yes. This map is a U.S. Department of
16 Agriculture surface soil type, and it shows that
17 basically it's a very poorly drained silt, silty
18 loam.

19 Q. Next, you have the cross-section
20 locations. Can you describe what those are and
21 the purpose of your including those in your
22 testimony and presentation today?

23 A. Sure. So these are the ERM and ICON
24 well locations. And what we've done here is to
25 try to get a good understanding of the subsurface

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 geology. We have constructed -- well, within our
2 expert report, we constructed four cross-sections.
3 Two of them are -- of those are east to -- I'm
4 sorry. West to east represented at AA prime, and
5 you see that goes really across the entirety of
6 the property, including the two background areas,
7 Areas 1 and then, over to the eastern side,
8 Area 9.

9 BB prime, we're going to show both AA
10 prime and BB prime here in just a minute, but that
11 actually -- we wanted to see what the subsurface
12 geology was like right there at the blowout area
13 and then we've got two additional cross-section
14 locations to understand the subsurface geology
15 running more on north to south, CC prime and DD
16 prime.

17 Q. So Mr. Purdom, your cross-sections
18 tracked the aerial extent of the oil and gas
19 operations that Chevron conducted on the property?

20 A. That's correct.

21 Q. And they also track the background
22 locations at this property; right?

23 A. Correct.

24 Q. Now, ICON, which is the consultant for
25 Henning Management, determined the location of

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 background or the background locations --

2 A. That's correct.

3 Q. -- at this property.

4 And that's on the eastern side of the
5 property?

6 A. Yes. Over -- it's H-32 A and B and H-33
7 and 34.

8 Q. So let's go to one of the
9 cross-sections, cross-section A to A prime. Can
10 you describe to me what the lithology reflects in
11 these cross-sections and what is of significance
12 to you?

13 A. Yeah. So if the panel remembers, this
14 is the cross-section that went the entirety of the
15 length of the property. So this spans quite an
16 extensive area that we investigated.

17 So I think the first thing that's of
18 note to me is these green colors that are showing
19 up, representing that these are clays or silty
20 clays, very nonpermeable zones, and you see that
21 really dominates the subsurface geology here.

22 There are some areas represented with --
23 it's kind of more, I guess, brown here, where it
24 is more clay or clayey silt -- I'm sorry, silt or
25 clayey silt, indicating potential for some -- some

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 areas for some -- some groundwater in, you know,
2 the areas. Of note, I think -- a couple other
3 things I want to note is the -- we look a lot of
4 times to correlate and see if there's connectivity
5 within the zones to see if there's communication
6 across this. And you'll see quite a few
7 instances -- I'll point to H-26 versus H-27 where
8 you'll see some brown, more permeable thin zones
9 that aren't present. You know, there's really no
10 correlation from boring to boring. Those are also
11 shown between MW-10, H-18, H-19, H-1 as we are
12 going really through the operational areas.
13 There's really no good way to connect these small
14 thin zones.

15 Q. Let's go next to the next set of
16 cross-sections, B to B prime. And again, what do
17 those cross-sections tell you about the site
18 lithology?

19 A. Yes. So this is more in the direct area
20 of the blowout. And you can actually see, we've
21 actually mapped the blowout pond or blowout area
22 on this cross-section. And again, so this is more
23 in operational areas. And what you'll see --
24 first of all, we didn't just draw this pond. This
25 is the actual depth that we measured for the pond.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 So we went out there, did a physical survey of the
2 pond to determine how deep that pond is and to
3 also understand that there's a connection with the
4 shallow groundwater zone that's out there. And we
5 did not see that, as you see. Right at H-9, the
6 depth to water there is -- or the depth to the
7 zone there is right around 45 to 55 feet. And
8 there's also another line of evidence that's maybe
9 kind of hard to see on this cross-section. But at
10 H-9, you can see where we've got the water level
11 plotted. The -- versus the actual elevation of
12 the water in the pond. And those show a
13 difference in elevations. It's a little bit
14 difficult to see here, but we surveyed both the
15 pond elevation as well as, when we were doing our
16 potentiometric mapping, we looked at the elevation
17 of groundwater, and there is a difference there,
18 indicating there is no hydraulic connection.

19 Q. At what depth does the shallow
20 groundwater begin in the subsurface of this site?

21 A. It -- well, it varies. So over towards
22 the eastern side of the property, over close to
23 Bayou Lacassine, it is a little bit shallower over
24 there. I think it's as shallow as maybe about
25 20 feet. But as you get into more of the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 operational areas, it's generally in the -- at
2 least 30 feet, but it can go down to about and
3 into the 55 to 60-foot range. So again, some of
4 those cross-sections show the variability and
5 where those locations are and the depths.

6 Q. Now, it's your conclusion that the pond
7 at the blowout location is not in hydraulic
8 communication with the shallow groundwater; is
9 that right?

10 A. That's correct.

11 Q. We'll get to it later, and some other
12 witnesses will also address it.

13 But have you seen any evidence of
14 hydraulic communication between the pond itself
15 and the Chicot Aquifer?

16 A. No. And we've got also differences in
17 groundwater elevations between the Chicot that we
18 have looked through historical records, as well as
19 the elevations in the upper water-bearing zone and
20 the pond itself.

21 Q. And for the panel's use and edification,
22 at what depths does the Chicot Aquifer exist at
23 this site?

24 A. The Chicot starts around 120 feet and
25 goes down to at least 200.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. There is a fairly large clay confining
2 unit that separates the shallow groundwater in the
3 Chicot; is that correct?

4 A. That's correct. We went down around --
5 to I believe our deepest boring was 78 feet. At
6 the -- actually, right at the blowout area.

7 But the lowest extent of the upper parts
8 of that water-bearing zone were at the 62,
9 below-ground surface. So we've got a good 50 feet
10 of separation between the upper limits of that
11 upper water-bearing zone as well -- and the upper
12 limits of the Chicot.

13 And I guess one more point I'll bring up
14 here is we did take a series of geotechnical
15 vertical permeability tests. And one of those is
16 represented here at H-16 R. You'll see it was at
17 the base of the boring within that clay and it was
18 a 1.1 times 10 to the minus 7. We took two other
19 geotech samples down at depth, and those were all
20 in the 10 to the minus 7 to the 10 to the minus 9
21 centimeters per second, so fitting the definition
22 of a natural liner.

23 Q. So next, you're going to talk about
24 water wells, at least your research about water
25 wells.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 RECAP requires or calls for the
2 determination of water wells that are located
3 within a mile of the AOI for the purposes of the
4 groundwater classification; is that right?

5 A. That's correct.

6 Q. So explain to the panel the work that
7 you and others at ERM did in researching the water
8 wells at this property and outside of the
9 property.

10 A. So what we do is we identify the 1-mile
11 radius of the property boundary. So that's
12 identified on this figure with that red kind of
13 cloudy-looking figure or line.

14 The blue line that you see basically
15 running along Louisiana Highway 14, that is
16 actually a public water supply line location. So
17 and it does dissect and runs along the property.
18 But then we take the LDNR SONRIS database, we find
19 all the wells within a 1-mile radius and plot
20 those, and that's what you see represented here,
21 is -- are those wells that were located within the
22 1-mile radius. None of the wells that we have
23 shown on here are within that upper water-bearing
24 zone, to the 20 to 60 feet.

25 Q. So you mentioned the public supply line

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 that crosses or traverses the Henning Management
2 property; is that right?

3 A. Yes.

4 Q. That's the water supply line for
5 Jefferson Davis Parish?

6 A. That's correct.

7 Q. Would Mr. Henning be able to tap into
8 that line?

9 A. That's our understanding.

10 Q. So summarize for us generally -- and
11 you've talked about some of this already, but the
12 results of your research of the water wells
13 on-site and off-site.

14 A. Yeah. So this comes from the SONRIS
15 database. So there were two active -- and we've
16 got active here -- registered rig supply wells
17 located on the property. When we did our
18 investigations, we went looking for those to see
19 where they were. We could not find them. So we
20 believe that the records just weren't -- have not
21 been updated. We believe they're P&Aed.

22 There was 15 active water wells screened
23 in the Chicot Aquifer in the 1-mile radius, one of
24 those being an irrigation well, 11 domestic wells,
25 three supply. And the shallowest of all those

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 wells, those active wells, is screened at 120 to
2 125 feet, so well below the extent of what we've
3 seen here on the property that we're evaluating.

4 There was also another well on the
5 property. We couldn't find it in the SONRIS
6 registration and on the database, but it's
7 10 inches in diameter, approximately 200 feet, and
8 when it was tested in 2017, it produced
9 3500 gallons per minute. It's in good condition,
10 but the picture of the surface equipment here
11 shows that some of the surface equipment's not all
12 that in great shape.

13 Q. Where is that water well located, again?

14 A. It is basically on the road where -- if
15 the panel were to have been out there, I believe
16 it's Area 5 where we pulled in, there's a parking
17 area right there. It was just off that little
18 road where we came in, and I'll show you it here,
19 and I think I put it in the next figure.

20 Q. So there are no shallow wells that
21 you've ever known of that exist at the Henning
22 property? And I say "shallow wells." Wells that
23 are screened in the shallow groundwater?

24 A. That's correct.

25 Q. As well as off-site within that mile

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 radius?

2 A. That's correct.

3 Q. So you've already talked about the
4 public supply water line that crosses the Henning
5 Management property.

6 What other water sources are there for
7 Henning Management?

8 A. Yeah. So this map, it may be hard to
9 see, but you'll see a blue dot just off of
10 Louisiana Highway 14. That is the location of
11 what we believe to be the unregistered water well
12 that can produce 3500 per minute. There is the
13 public supply line, which we show there in the
14 blue. And this was actually the drone footage
15 that we took last year. This bottom picture,
16 where you can see Bayou Lacassine, you can see
17 basically the ditch system that's used to -- for
18 Mr. Henning to do the pump on and pump off to be
19 able to supply water to his fields.

20 Q. And before we move forward, just for the
21 benefit of the panel and Judge Perrault, at the
22 bottom of each of the slides, there's an exhibit
23 reference; is that right?

24 A. Yes.

25 Q. And that describes or shows the location

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 within Chevron's exhibits where this particular
2 slide or set of slides can be found, if anyone
3 wants to go back and review them.

4 A. That's correct.

5 Q. Most of the slides that you've shown
6 thus far are contained or encapsulated in
7 Chevron's proposed feasible plan from ERM?

8 A. That's correct.

9 Q. So let's next pivot to the
10 potentiometric map that you have here. Explain
11 what this is and what it shows.

12 A. So when we put in -- I'm sure the
13 panelists know, but when we put in a well, we go
14 and we survey the top of casing of where that well
15 is to get an actual elevation of where that top of
16 casing is. Then when we want to determine
17 groundwater flow direction, we'll go out and we
18 will drop a piece of equipment to measure the
19 depth to the actual groundwater level. So as soon
20 as we hit that, we'll know how many X feet down.

21 We then take that difference to come up
22 with the groundwater elevation. And so we put all
23 those together on a map to be able to contour the
24 map to show groundwater -- the direction of
25 groundwater flow and where it's moving.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. And you have another potentiometric map.
2 How does this one differ from the one you just
3 testified about?

4 A. Very similar in nature. Both of these
5 were taken on December 21st of 2021. This one is
6 the equivalent freshwater head, so it's taking
7 into account some of the density of the water
8 which could be a result of chlorides. But you do
9 see really the same general flow direction being
10 to the north, kind of northeast over by Bayou
11 Lacassine. Toward the background area, you do see
12 a little bit of a reversal there at that one area,
13 but really the two maps, whether it's just the
14 straight taking the elevations or looking at the
15 equivalent freshwater head, you do see the same
16 flow direction.

17 Q. Real briefly, we went through the
18 chronology earlier, but you include in here the
19 number of wells that were drilled at the Henning
20 Management property historically; is that right?

21 A. That's correct. And we -- that is 19
22 wells from -- since 1938.

23 Q. And how many of those wells were drilled
24 by Chevron?

25 A. Total of seven.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. And the other wells obviously were
2 drilled by others?

3 A. Correct.

4 Q. Now, you noticed in your site inspection
5 some identification or evidence of -- on the
6 surface of an abandoned oil and gas operation?

7 A. Correct. And we'll see that through the
8 drone photography. We'll point it out. But there
9 is a shut-in well on the property. It's not
10 related to the Chevron operations, and the
11 remainder of the property is predominantly rice,
12 rice farming.

13 Q. And this photograph shows the locations
14 of the wells that were drilled on the property?

15 A. Correct. Oil and gas wells only,
16 correct.

17 Q. And Chevron wells are marked in what
18 color?

19 A. They're as indicated in the end area to
20 the right, they're -- in the yellow circles shows
21 the Chevron wells.

22 Q. And the nonChevron wells are in the
23 other colors, presumably blue, green, orange, and
24 a purple, or a magenta?

25 A. Correct.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. So now we have here some historical
2 aerial photographs. This is in 1940. Did Chevron
3 have any wells on the property that it had drilled
4 at that time?

5 A. No. So operations did start -- oil and
6 gas exploration started on this field in 1938,
7 but -- or on the property. But Chevron had not
8 yet begun operating.

9 Q. Next we have a 1952 aerial photograph.
10 Are there any parts of this aerial that have some
11 significance or bearing to you?

12 A. Sure. Over in Area 2, you kind of see
13 the white area with the circle around it. That is
14 the blowout area. So we'll start showing some
15 more significant details around that here shortly,
16 but really that's the main feature that stands out
17 in this.

18 Q. And that blowout occurred in 1941?

19 A. 1941; right.

20 Q. And you testified earlier and we'll see
21 some more pictures of it, but there is a pond that
22 currently exists in that location; right?

23 A. There is. And we did some investigation
24 there, which we'll talk about as well.

25 Q. And that's a freshwater pond?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. It is.

2 Q. Let's move next to 1970. Anything of
3 significance to you on this aerial photograph?

4 A. You do see -- start to see where there's
5 been some more, obviously, oil and gas operations.
6 You can start to see in some areas some potential
7 what look to maybe be pit locations, but you do
8 start to see the development as an oil and gas
9 field further.

10 Q. Some of those are Chevron pit locations?

11 A. Some of them are, yeah.

12 Q. How many Chevron pits could you identify
13 or can you identify on this aerial?

14 A. Possibly one, two. I can see two that I
15 believe I would call pits.

16 Q. There's also a pit that looks -- appears
17 to have been used on the southern part of the
18 property unrelated to Chevron's operations?

19 A. That's correct.

20 Q. And that's more towards the southern,
21 almost the -- right north of the southern
22 boundary --

23 A. That kind of pops out, yes.

24 Q. So next we move to the 1985 aerial
25 photograph. Chevron's operations ended at that

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 time; is that right -- before that time?

2 A. Yes. So Chevron had stopped, ceased
3 operations in 1984. So this is one year post
4 Chevron ceasing operations.

5 Q. And then we move to 2008. Anything of
6 significance to you on this aerial photograph?

7 A. What I'll note is the blowout pond area
8 or the blowout area seems to be, you know --
9 almost looks like it's shrinking in size, but
10 there's a couple other things that I want to kind
11 of look at here.

12 So really, in the area over here to the
13 far left where there was a dry hole, you can start
14 to see evidence of row crops, and I think that's
15 going to start to play an important discussion
16 piece later on about some of the reworking of the
17 land. So you can start to see that there's
18 farming operations going on there and as well as
19 over to the eastern side of Highway 14.

20 Q. Then we move to the 2017 aerial
21 photograph. This is around the time that Henning
22 Management purchased the property; is that right?

23 A. That's correct. So this is
24 approximately the time -- in 2017 was when the
25 environmental site evaluation was conducted at the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 site.

2 Q. Anything of significance to you in those
3 aerial photographs?

4 A. You do see some operators outside of the
5 Chevron area just adjacent to some of the Chevron
6 areas, but that's the main part.

7 Q. Do you see or does it appear, as you saw
8 in one the earlier photographs, any evidence of
9 farming development or agricultural development?

10 A. Yes. You do see, it looks like the land
11 there, especially to the western side, is
12 well-maintained and appears to be used for
13 farming.

14 Q. Then we move next to the 2019 aerial
15 photograph, is the year that Henning Management
16 filed suit; is that right?

17 A. That's correct.

18 Q. We don't have any, what appears to be
19 any scarring around that blowout area?

20 A. That's correct.

21 Q. So let's talk about the Chevron most
22 feasible plan areas. And when you say "MFP,"
23 that's what you mean, most feasible plan; right?

24 A. That's right.

25 Q. So we're going to ask you to identify or

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 at least to summarize the sampling soil and
2 groundwater that occurred at this property as a
3 part of this lawsuit and this regulatory
4 proceeding.

5 So can you describe a little bit about
6 the sampling program?

7 A. Sure. And I do want to point out that
8 the pictures that we're showing, these are all
9 site pictures taken at the site. So the last
10 picture was us doing the pond survey. This
11 picture here is one of our scientists taking a
12 hand auger boring, but we've done extensive
13 sampling across the site. Over 650 soil samples
14 were collected from 102 locations. If you go --
15 the 61 groundwater samples from 31 monitoring
16 wells, performed slug tests at 17 wells, 12 of
17 those being ERM-installed wells, five being the
18 ICON wells.

19 We did take the surface water samples.
20 And we'll discuss the surface water samples, but
21 we did actually look -- when we did the pond
22 sampling, we looked at a zone kind of 2 feet below
23 the surface of the water surface as well as 13
24 feet below -- you know, towards the bottom of the
25 pond to see if there was any stratigraphy -- you

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 know, stratified columns or anything within the
2 pond. So we did take surface water samples from
3 the pond. Twenty-four electrical conductivity
4 probe logs were performed.

5 Q. And just to make sure everyone
6 understands, what are electrical conductivity
7 probe logs?

8 A. So that's when you're geo probing, I
9 think one of the pictures we saw earlier shows a
10 geoprobe rig standing up. So what they did is
11 you'll push down this probing of this rod --
12 through a rod is a probe log, and it will measure
13 basically the conductance of the soils of that --
14 or the media that it's encountering. And as it
15 responds in a positive way, that's showing that
16 it's more -- has more conductivity, conducive of
17 areas where there might be chlorides or impacts.

18 Q. And you also had HPT probe logs that
19 were installed at the property; is that right?

20 A. Yeah. This is a Hydraulic Profiling
21 Tool, which is basically used to give an
22 indication of porosity, permeability, is there
23 ability to transmit water.

24 Q. You have numerous site inspections that
25 occurred by ERM?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. Yes. Throughout -- I've been out there
2 four times. I know there's been multiple visits
3 by a lot of our other experts throughout the 2019
4 through 2022.

5 Q. Of course, you have drone-level
6 photography that you alluded to earlier and that
7 we'll observe in a bit; right?

8 A. Correct.

9 Q. So if you can briefly describe the soil
10 sampling areas for the panel.

11 A. Yeah. So what we have here, again, this
12 is our figure that we -- I think this is a 2019
13 aerial, and what you see is the orange dots that
14 are represented are ERM soil sample locations that
15 were done to try to delineate or investigate
16 further the results initially reported by ICON.
17 The yellow dots are ICON-installed soil sample
18 locations, and then you do see a few little purple
19 dots, and those were conducted by HLP and those
20 are outside of Chevron's area, so not included in
21 the limited admission.

22 Q. So did you sample for 29-B constituents
23 in the soil?

24 A. We did.

25 Q. And what constituents were those? The

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 whole suite of 29-B constituents?

2 A. Yes.

3 Q. Did you also sample under RECAP, or
4 constituents that are found in RECAP?

5 A. We did. We looked at metals, BTEX, THP.
6 Let's see. Radium, as well as some others.

7 Q. So let's hone in on Area 2. Of course,
8 this is the area where the blowout occurred. Can
9 you describe for the panel the sampling locations
10 and the reasons for them on that -- in that area?

11 A. Sure. So this really just shows kind of
12 the -- so ICON had installed sample location H-9,
13 and then ERM went out and, in order to delineate
14 and investigate -- we're going to look at the
15 actual results here shortly just to show those,
16 but these are some of the locations and including
17 some monitor wells that we've installed around
18 that blowout area to help with the delineation.

19 Q. And then we move to Area 4, which is the
20 area also where Chevron conducted oil and gas
21 operations; is that right?

22 A. That's correct. And again, the orange
23 dots represent ERM's efforts to go evaluate the
24 concentrations that were initially reported and
25 delineate.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. And the yellow locations are ICON sample
2 locations; is that right?

3 A. Correct.

4 Q. Then we move to Area 5. That's another
5 area where Chevron conducted oil and gas
6 operations; is that right?

7 A. That's correct. And you see the ICON
8 locations represented in yellow, ERM represented
9 in orange, and then you also see the area over to
10 the -- to the east of the Area 5, which is an
11 adjacent operator, not Chevron.

12 Q. So Chevron didn't operate on that
13 property outside of the blue box that is directly
14 east, where you have some sampling points?

15 A. That's correct. And for the panel, this
16 is that -- you can start to see a little bit of an
17 outline of where we parked when we first got
18 there, for those who have visited.

19 Q. The sampling points that are located
20 directly east of Area 5, whose sampling points are
21 those?

22 A. Those were HLP.

23 Q. And who is HLP?

24 A. I forget the --

25 Q. They weren't hired by Chevron?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. They were not Chevron's representatives
2 and not hired by us.

3 Q. Then we have Area 6. Can you describe
4 the soil locations there?

5 A. Again, one of the things that kind of
6 sticks out on this photograph is that area outside
7 of that blue line because it holds a lot of water.
8 That was an adjacent operator that was not
9 Chevron. And when we've been out there, that
10 holds a lot of water. The Chevron area is there
11 within the blue outline, and this being Area 6,
12 you do see the yellow borings or sample locations
13 from ICON, the orange representing ERM.

14 Q. Then we have Area 8, the last area
15 that's subject to the limited admission. What
16 does the sampling reflect there in the locations?

17 A. Again, trying to go and delineate, and
18 we're going to talk about this here in a little
19 bit, but you're going to see -- you see we were
20 trying to delineate, and you start to see kind of
21 a linear pattern and how we're having to go off
22 this, and I'll point out that that's actually a
23 road that's going right there.

24 So potential for when they were getting
25 the field reworked, that -- in order to come up

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 and do farming, agricultural operations, that
2 potentially barium -- well, we'll talk about
3 barium here in a minute, but barium was
4 potentially spread through the area.

5 Q. And here, we have the monitoring well
6 and surface water sample locations; is that right?

7 A. That's right.

8 Q. And what were the general depths of the
9 monitoring wells that were installed at the site?

10 A. Yeah. Generally, again, I'll refer you
11 back to the cross-sections to see where everything
12 was. But generally from about 30 to about 55,
13 60 feet, if you do look over, again, to the
14 eastern part of the property, in Area 9, you do
15 see those numbers in parentheses are where the
16 actual wells were screened. So you see some 18 to
17 28, 20 to 30, so some shallower zones over towards
18 the far east, but you really don't see that as you
19 move back across the table.

20 Q. And the actual tables with the sampling
21 data are included with ERM's plan on behalf of
22 Chevron; is that right?

23 A. That's right.

24 Q. And you say surface water sample
25 locations. You mentioned the pond where the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 blowout occurred. Surface sampling occurred
2 there. Did they occur anywhere else, the sampling
3 surface water?

4 A. The surface water sampling? No.

5 Q. So next we have the EC and HPT logs
6 which you testified about and described earlier.
7 What do those show or reflect to you?

8 A. I'll point the panel to H-12, which is
9 the, kind of, bigger box over here to the upper
10 left. That is a good -- a good representation of
11 what a positive response within the EC log is. So
12 that shows, down around 50 to 60 feet, that there
13 was, you know, good conductivity. And that's also
14 reflected in our groundwater sample results that
15 we've collected. So a good indication of that
16 there's likely some chloride there, and we did
17 confirm that with the results.

18 I'll also point the panel to, if you
19 look down, just as it quickly comes back to
20 basically being non- -- you know, nonconductive.
21 So we quickly get out of that chloride and, again,
22 we took soil samples below this and confirmed
23 these results, that the chlorides just aren't
24 there after we got out of that zone.

25 So you'll start to look across. There's

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 other examples, H-16, towards the top there, kind
2 of top-middle, you do see a little bit of a
3 signature up towards the -- I guess that's about
4 the 20 to 30-foot range. But you do see it come
5 back down. And, really, what these are showing is
6 you'll see some impacts in some areas where there
7 were historical operations. But as we move
8 laterally out from those locations to delineate,
9 we're not seeing those same signatures.

10 Q. And next, we have the background
11 locations. And can you describe -- you've already
12 testified about it but where those locations are?

13 A. Yes. So we have Area 1 over to the far
14 west side of the property, H-25, 26, 27, and then
15 Area 9 being the two wells installed around H-32,
16 being A and B, and then H-33 and 34 in Area 9.

17 Q. And all of those background locations,
18 as you've testified earlier, were selected by
19 ICON?

20 A. That's correct.

21 Q. You visited the property, as you stated,
22 on at least four occasions?

23 A. Correct.

24 Q. Did you visit the background locations
25 during your site visits?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. On multiple occasions, yes.

2 Q. Did you find in your
3 boots-on-the-ground, or your site visit, any
4 vestige of oil and gas operations in the area of
5 the background locations?

6 A. No.

7 Q. Did you see any vestige of oil and gas
8 operations in the vicinity of the background
9 locations in any of the aerial photographs that
10 you reviewed?

11 A. No.

12 Q. So this sets forth the results of
13 surface water sampling at the pond at the blowout
14 location; is that right?

15 A. That's right.

16 Q. So what I want you to first describe are
17 the efforts that ERM and its contractors extended
18 in obtaining surface water samples, and then I
19 want you to describe the results of those samples.

20 A. Yeah. So, you know, it's easy to say
21 let's just go grab a water sample. At ERM, we
22 have a pretty robust safety program, so it was
23 actually quite a bit of effort to go actually do
24 this sampling. But what we did is we got a boat.
25 We had to go through all of our internal

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 procedures. We got a boat out there on-site.
2 There was a picture earlier in the slide where you
3 actually saw two of our ERMers in the boat. So we
4 dragged that out there, got out on the boat, took
5 a pump with some flow-through meters, taped off
6 some tubing to a measuring tape, and dropped that
7 down 2 feet below the water surface, and then
8 started pumping from there to obtain our 2-foot
9 below-surface sample. And then we did the same
10 thing with the -- down to 13 feet. So we measured
11 down to 13 feet, which is 2 feet above the deepest
12 part of where we measured this at the pond, and
13 collected samples from the 13-foot zone.

14 Q. And what were the results of the surface
15 water sampling?

16 A. You see here they're pretty --
17 there's -- really uneventful. So we show no BTEX
18 constituents. Everything was nondetect. Chloride
19 being both in the 2 and 13-foot samples are almost
20 identical, again showing there's really no
21 stratified columns of constituents. And the same
22 with barium. And I'll also point out, when you
23 looked at the LDEQ subsegment, chloride for that
24 subsegment was listed as, I believe, 90 milligrams
25 per liter, so we're even less than what it's

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 showing on that DEQ subsegment.

2 Q. Would you describe the characteristic of
3 that pond as being freshwater?

4 A. I would.

5 Q. So let's next move to the sampling
6 results, and we'll start with barium sampling in
7 the groundwater. What did the sampling program
8 reflect?

9 A. So what we show here is the barium
10 results in the groundwater wells that we
11 collected. We have one well right there at
12 Area 2, at H-12, where we showed an exceedance of
13 the conservative groundwater screening standard
14 being the -- the standard being 2. We were just
15 over it: 2.27.

16 Ms. Levert will get into additional
17 RECAP analysis to show that, you know, this is
18 very -- it's still protective of human health and
19 the environment. And you also see the rest of the
20 samples all came back very, very low. When we had
21 detection, it was very, very low and below the
22 RECAP screening standards.

23 Q. Now, you did not do the work in
24 connection with groundwater classification at ERM
25 on this particular project; is that right?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. I looked at it, I observed it, but I did
2 not do that myself.

3 Q. The conclusion is that the shallow
4 groundwater is Class 3; is that right?

5 A. Correct.

6 Q. Now, in connection with barium, the
7 comparative standard that you used for barium even
8 though your conclusion was that it's a Class 3,
9 was the Class 1 drinking water standard as the
10 most conservative approach; is that right?

11 A. That's correct.

12 Q. So you had one slight exceedance of
13 barium using that Class 1 drinking water standard,
14 which Ms. Levert will further address from a human
15 health standpoint?

16 A. That's correct.

17 Q. Let's next move to the sampling results
18 for chloride in the groundwater. What do they
19 show?

20 A. Again, so what we have here is this blue
21 bold is showing where we exceed a background of
22 687 milligrams per liter. So we do see some
23 chlorides in the groundwater, especially you'll
24 see the highest concentrations are right there at
25 the blowout area, down around the 50-foot zone,

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 which correlates well with the EC logs that we
2 showed.

3 What you do, though, see in the
4 groundwater is rapidly declining conditions as we
5 move away from the areas where we had detects.
6 And we feel like we're delineated across the site
7 with one exception where we've proposed an
8 additional monitor well to the north, just to the
9 north of Area 2, to supplement the data that we
10 have.

11 Q. So one thing of note in connection with
12 the chloride results in the groundwater -- you
13 said it earlier and it's -- you can see it towards
14 the bottom of this screen, that background for
15 chlorides at this site is 687 milligrams per
16 liter; is that right?

17 A. That's correct.

18 Q. So the secondary drinking water standard
19 for chlorides itself is based upon aesthetics and
20 taste; correct?

21 A. Correct.

22 Q. And that's 250 milligrams per liter?

23 A. That's correct.

24 Q. So background chlorides in the
25 groundwater at this property is more than two

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 times, almost three times what the secondary
2 drinking water standard is; is that right?

3 A. That's right.

4 Q. So let's next move to radium in the
5 groundwater. And briefly what does this show and
6 who would you defer to for this analysis?

7 A. Yeah. So this is showing the radium
8 results that we've gathered across the site, and
9 really this is going to be Dr. Frazier will be
10 speaking to the radium results.

11 Q. Next we have sulfate in the groundwater.
12 Mr. Angle will address or at least perform an
13 analysis of sulfate itself in the groundwater.
14 But what does this generally tell you?

15 A. Again, really no -- nothing above any
16 regulatory standards that we saw, but Mr. Angle
17 will go into deeper analysis there.

18 Q. And next we have benzene in the
19 groundwater and we have a couple of exceedances
20 that are found near the blowout location; is that
21 right?

22 A. Correct. Those are the only two
23 locations. The conservative groundwater screening
24 standard for benzene is .005 milligrams per liter,
25 so we do have two exceedances. The remainder of

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 the site remains unimpacted by benzene.

2 Q. Mr. Angle will address, along with
3 Levert, those two exceedances and their proposal
4 for handling; right?

5 A. Correct.

6 Q. Next we have the hydrocarbon sampling in
7 the groundwater. What do those show?

8 A. So ICON took TPH mixtures and reported
9 some results that -- so ERM went to go further
10 investigate. In accordance with, kind of, the
11 preferred RECAP method on evaluating TPH, we took
12 the fractionation data for each of these which
13 shows specific carbon chains or carbon to evaluate
14 against those standards, and we showed no impacts
15 above any regulatory standards here.

16 Q. Okay. Let's do a little deeper dive
17 into the Chevron most feasible plan areas. Let's
18 first start at Area No. 2. What were the
19 historical uses at that part of the property?

20 A. Yeah. So we're showing here, this is an
21 aerial photograph taken when we did the drone
22 survey on the left, but the well -- this is the
23 blowout area, obviously, and it was drilled by
24 Gulf in 1941, which is the same year that the
25 blowout occurred. Subsequent to that, it's been

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 agricultural use.

2 Q. And then this is a drone image of that
3 area; right?

4 A. That's correct. So we're flying over
5 here towards Area 2. I'll point out, towards the
6 bottom treeline here over to the left, you're
7 going to see our friend the alligator who has been
8 observed every time we went out there. So a lot
9 of lush greenery. There's -- over to the top-left
10 there, you can kind of see a little bit of one of
11 our wells sticking out of the ground.

12 Q. And what were the results of the
13 sampling for 29-B salt-based constituents at
14 Area 2?

15 A. Pretty uneventful. So even though this
16 is right there at the blowout area, there was one
17 location within the upper 3 feet which showed an
18 exceedance of SAR. It's H-12 from zero to 2 feet,
19 you'll see an SAR exceedance. So that was a zero
20 to 2-foot sample. We then went back and resampled
21 that well location going at 1-foot intervals to
22 determine the stratigraphy and also in working
23 with the effective root zone, which Mr. Patrick
24 Ritchie will be discussing later.

25 Q. So Mr. Ritchie will discuss the root

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 zone, and Mr. Angle will address that one -- and
2 what was the sampling location where you found,
3 immediately below the root zone, an SAR and ESP
4 exceedance?

5 A. Yes. So this was just SAR, and it was
6 at H-12 from zero to 2 feet.

7 Q. And Mr. Angle will address that in his
8 testimony?

9 A. That's correct.

10 Q. Taking into consideration Judge Cain's
11 ruling, which Mr. Carmouche prominently broadcast
12 earlier; right?

13 A. Correct. I will point out one more
14 thing on this. So the blue boxes that you see on
15 these tables represents where we did take SPLP
16 samples to -- within the unsaturated zone. So you
17 see we've got a good collection of SPLP data at
18 this area, within this area.

19 Q. Did you see any particular trend
20 associated with the salt signature in the soil at
21 this property?

22 A. Really, there was -- it was pretty
23 uneventful within that upper -- upper area, there
24 really wasn't much to look at. Again, it was just
25 one area within the zero to 2-foot sample that was

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 really the only thing that we needed to go
2 evaluate a little further.

3 Q. And when taking into account the
4 effective root zone, is it your opinion and others
5 who will appear this week that salt has been
6 delineated vertically and horizontally in the
7 soil?

8 A. Yes.

9 Q. Let's move next to barium and the
10 results that you found in the soil at Area 2.

11 A. You're going to hear this story over and
12 over and over when we go through each of these
13 areas on barium. There's kind of a little bit of
14 a story to tell on each -- on -- that repeats
15 itself.

16 So one, you're going to see it's limited
17 to zero to 2 feet where we showed the exceedance
18 of 1600, which Ms. Levert will discuss in her
19 testimony that number being extremely
20 conservative.

21 So it's confined within the zero to
22 2-foot range. You do start to see low
23 concentrations. Again, Ms. Levert will address
24 that with her RECAP and risk assessment analysis.

25 And then you also start to see, in some

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 areas, a not very good correlation with the
2 operational areas versus where we're actually
3 seeing this. As we try to delineate, again,
4 you're going to start to see and we're going to
5 show some actual photos comparing where the
6 operational areas and some linear features where
7 there have been some improvements on the property
8 for agricultural and land use.

9 Q. All right. Let's move to Area 4. What
10 were the historical site uses there?

11 A. So Gulf operated producing wells
12 starting in 1941 and two saltwater disposal wells
13 in 1957 and 1977. Those -- all those wells were
14 P&Aed in 1983 and 1984.

15 And then subsequent operators after Gulf
16 were there, and we had that location of that
17 shut-in well, and we're going to show that here in
18 just a second on the drone photography.

19 Q. And here's the drone image of Area 4; is
20 that right?

21 A. That's correct. So you see the truck
22 just to the, I guess, left side of the truck,
23 you'll see kind of a little pad -- not pad but
24 just kind of an open area there. That's the
25 shut-in well location. If you look up to the top

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 of the screen, that's Area 2 and you can see the
2 pond up there.

3 Q. What are the results of the salt-based
4 sampling that was conducted in Area 4?

5 A. Much like Area 2, we did have one
6 location, H-21, at the zero to 2-foot sample where
7 ERM reported some exceedances of ESP and SAR. We
8 then, again, like Area 2 and H-12, we went back
9 and sampled from the zero -- at 1-foot intervals
10 within the upper 3 feet to show the location.

11 So within the effective root zone, we do
12 not show any exceedances of salt parameters at
13 that location. We also -- the blue boxes show
14 here the SPLP locations. And we do have a red box
15 here and you can see a red boring location, H-16 R
16 2. That is part of our contingent SPLP chloride
17 sampling plan. In order to collect an SPLP sample
18 from the interval within the unsaturated zone with
19 the highest EC concentrations, you know, to help
20 with the way that the DNR has liked to see the
21 data in the past.

22 Q. And is there an area on this map that
23 Mr. Angle will address that falls immediately
24 beneath the root zone, effective root zone?

25 A. Yes. So Mr. Angle will be looking at

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 that H-21 and testifying to that H-21, H-21 R and
2 basically the zero to 3-foot results that we're
3 seeing here.

4 Q. So while we're on SPLP, that is an
5 analysis and testing procedure that has been
6 relied upon not only by LDNR and LDEQ along with
7 other lines of evidence to show the scope and
8 extent of cross-media transfer of chlorides? Is
9 that right? Salt based constituents?

10 A. That's correct. It's one of the tools
11 in the toolbox, but we have multiple lines of
12 evidence through actual sample concentrations. We
13 pulled the subsurface geology at the site, and
14 that's just one of the tools that can be used to
15 show that we're protective of groundwater.

16 Q. Summarize for us the results of barium
17 sampling at Section 4, or Area 4.

18 A. So again, same sorry. This is that one
19 I pointed out, I think when we were looking at one
20 of the earlier photographs. You see the linear
21 pattern or the linear line there that was taken
22 right along that road surface. Everything, again,
23 is contained within that zero to 2-foot sample.
24 Low concentrations, you know, and again Ms. Levert
25 will talk about that.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 And just the -- you're going to see here
2 that, again, the nonconformance to the historical
3 E&P operations versus where we're seeing some
4 results.

5 Q. And next, you have the hydrocarbon
6 fraction results in the soil at Area 4; right?

7 A. Correct. So when ICON had reported the
8 mixtures, we went and took fraction data and you
9 see we had one interval at H-15 from 6 to 8 feet
10 where we had an aliphatic C 8 to C 10 carbon chain
11 with an exceedance of the soil nonindustrial
12 screening standard. Ms. Levert will discuss that.

13 Q. Okay. Let's move to Area 5. What were
14 the historical uses there?

15 A. A dual completion well drilled by Gulf
16 in 1964 and P&Aed in 1980. There were subsequent
17 operators east of Area 5, and it's agricultural
18 use, currently fallow field.

19 Q. Let's move to a drone image of that part
20 of the property, if you could describe it for the
21 panel and the judge?

22 A. Yeah. So that was the little area that
23 we parked in. You see just kind of the green
24 greenery. Really no indications of any oil field
25 operations that we can see on here. And then

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Areas 4 and 2 are kind of up to the top part of
2 the screen.

3 Q. And the results of the salt-based
4 sampling at Area 5 were what?

5 A. Like Areas 2 and 4, we had one -- and we
6 had a total of three of these locations where,
7 when the original sampling was done, we showed
8 something in the zero to -- either zero to 2 to
9 zero to 4-foot intervals. So at H-18 here, we did
10 see the same thing like we did in the other two
11 areas. We went and resampled at 1-foot intervals
12 from zero to 1, 1 to 2, and 2 to 3. The intervals
13 within the effective root zone came back below
14 regulatory standards, and Mr. Angle will continue
15 to discuss this further.

16 We do have a contingent SPLP chloride
17 sample shown here at H-18 R 2 to, again, satisfy
18 the, you know, desire to have SPLPs at some of the
19 higher concentrations within the unsaturated zone.

20 Q. And next, we have the barium soil
21 results for Area 5. And what do they show?

22 A. Yeah. Again, you'll see the zero to 2
23 is really where everything is contained, you know,
24 the spread.

25 I will point out that there's -- really

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 in a lot of our data, there's discrepancy between
2 results between what ERM and ICON reported. And
3 again, Ms. Levert will kind of delve into that
4 even further, but that's another important note
5 that we observed and I think...

6 Q. And you have, in this area as well as in
7 some others, proposed delineation locations in
8 connection with barium in order to assure that you
9 achieve full vertical delineation -- or horizontal
10 delineation? I'm sorry.

11 A. Horizontal, correct. Yes. And you see
12 that here in this H-19 in E2 up to the top-right
13 of the Area 5 box.

14 Q. Next you have your fraction results for
15 hydrocarbons in the soil at Area 5. Anything of
16 note to you there?

17 A. Yes. We went back and did -- all of the
18 fraction data came back below regulatory
19 standards.

20 Q. Area 6, what were its uses?

21 A. Drilled in 1964 by Gulf. It was P&Aed
22 in 1983. There were subsequent operators east of
23 Area 6 and, again, that's where, when we were
24 talking about earlier, you can kind of see where
25 the water was being held. That was a subsequent

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 operator outside of Chevron. And there's an
2 impounded area that holds water and that's heavily
3 vegetated.

4 Q. This is a drone image of Area 6; is that
5 right?

6 A. Correct. So as we're going down that
7 road, it's actually off to the left-hand side
8 where the tall trees are located. Again, that
9 area that you see kind of prominently sticks out,
10 that's not Chevron's area.

11 Q. And you now have the salt-based sampling
12 results of the soil in Area 6. What did those
13 show?

14 A. So you see the yellow locations showing
15 the original ICON location where ERM went back and
16 sampled and we don't show any exceedances.

17 Q. There is one location, is there not,
18 that Mr. Angle will address immediately beneath
19 the root zone in that area?

20 A. I don't believe --

21 Q. There is not?

22 A. Not at this location, yeah.

23 Q. Okay.

24 Let's go next to the barium results in
25 the soil. What do they show at Area 6?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. Once again, not to bore the panel here,
2 but limited to the zero to 2-foot, there is
3 discrepancy between ERM and ICON. I'll point out
4 one example, but there's many here. H-24, zero to
5 2, ERM had 294, ICON had 3,490. And there's other
6 examples as you look across all the data sets that
7 were produced between ERM and ICON.

8 So that -- it's limited to that zero to
9 2-foot sample, and we do show here that we want
10 to -- we're proposing some additional delineation
11 samples. I think we have a total of seven at this
12 location. Yeah. Or maybe eight. Eight
13 locations, between some resamples at some
14 locations and some delineation borings.

15 Q. Let's go to the last area that's subject
16 to the limited admission area, Area 8. What were
17 its historical uses?

18 A. So this well was drilled by Gulf in
19 1946. It was actually a dry hole, so it was P&Aed
20 one year later, in 1947. It's heavily vegetated.
21 It was heavily vegetated until around 2017, 2019,
22 and it was converted to agricultural uses. It's
23 currently an active rice field.

24 Q. So this is the drone image of that area;
25 right?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. Yeah. If you kind of look over towards
2 the left-hand side, you'll see the birds playing
3 around. But it's just a beautiful green pasture,
4 just a beautiful field, really no indication of
5 any oil field operations. And again, you see
6 where the row where we show those, kind of, linear
7 features for barium that's over shown on the
8 right-hand side of the screen.

9 Q. One the times you visited the site was
10 with some of the panel members --

11 A. Correct.

12 Q. -- who are here today; right?

13 A. Yes.

14 Q. And all of you visited most, if not all,
15 of these areas; is that right?

16 A. Yes. The panel members who were there,
17 yeah, did -- have, but yes.

18 Q. So let's go to Area 8. What did the
19 salt-based sampling show?

20 A. Yeah. No real impacts that we needed to
21 delineate any further, and, again, we show the
22 blue box down at H-3 where we -- which is outside
23 of the area but where we took an SPLP sample.

24 Q. Then you have barium results in the soil
25 at Area 8. What do they show?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. Yeah. You see -- again, that road we
2 showed to the right-hand side of the drone we just
3 saw, and, again, we see H-4 and how we tried to
4 delineate but it just kept going along that linear
5 pattern. And low concentrations confined within
6 the zero to 2-foot area, and we are also proposing
7 a handful of resamples and delineation borings to
8 continue to try to delineate barium even further.

9 Q. So we have really two constituents, if
10 you might call them, of concern in the soil. It's
11 barium and also chlorides; right?

12 A. Correct.

13 Q. And you've talked a lot about the barium
14 soil sampling results and groundwater results and
15 also the chloride data set. So summarize for this
16 panel and the judge, if you can, the summary of
17 the barium sampling results.

18 A. Yeah. So first, there was no 29-B
19 exceedances for true total barium. So that was --
20 we didn't have anything across all the data that
21 we collected. Barium does exceed the groundwater
22 screening standard at only one location, which was
23 a produced water source. There was elevated
24 barium in soil almost exclusively in that zero to
25 2-foot range, which you've heard me discuss.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 And then, again, the distribution of
2 barium poorly correlates with the E&P features,
3 and we think that's likely attributed to the
4 reworking of the surface soils through
5 agricultural use, construction of roads, et
6 cetera.

7 And we've got these two images here
8 showing the 1981, you can see the operational
9 area; and then, in 2019, where you see the road.
10 And you don't see the correlation in 1981, but you
11 do in the 2019 data set.

12 And then mean exceedances of screening
13 standard reported by ICON were not confirmed in
14 the ERM split.

15 Q. And what is the summary, if you can
16 provide that, of the sampling results for
17 salt-based constituents?

18 A. I think the -- probably the headline is
19 that we're delineated with the exception of that
20 one location where we want to put a monitor well
21 into Area 2 up to the north. That's the one
22 location. But elevated chloride and groundwater
23 was localized to the former E&P operations. And
24 then as we did step out, there was concentrations
25 where we did have some impacts, you see them

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 rapidly decrease and decline. The chloride is --
2 in groundwater is delineated in each of the
3 limited admission areas except that one area
4 north -- north of Area 2.

5 The 29-B salt parameters in soil are
6 delineated laterally and vertically in each of the
7 limited admission areas. There was no 29-B salt
8 parameter exceedance within the effective root
9 zone. And we've shown multiple lines of evidence
10 of protection of the underground source of
11 drinking water being vertical delineation to the
12 lab data, the EC probe logs -- again, I'll point
13 you back to those where we did see the highest
14 impacts as confirmed by the lab data that we
15 quickly showed that decrease, and we confirmed
16 that decrease with the laboratory data in the
17 soils as well. The vertical permeability, we had
18 three of them from 10 to the minus 7 to 10 to the
19 minus 9 showing that it meets the definition of a
20 natural liner, and the SP chloride data. So we've
21 got multiple lines of evidence showing that we're
22 protective of the Chicot Aquifer. And we've
23 proposed sampling to complete delineation of
24 groundwater and supplement the SPLP data.

25 Q. And I don't think we have a dispute with

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 any of the experts either for ICON or from ERM or
2 any of Chevron's other experts that the shallow
3 groundwater at this property is not a USDW; is
4 that right?

5 A. I would -- that is my guess. I agree.

6 MR. GREGOIRE: Those are all the questions I
7 have. Thank you.

8 CROSS-EXAMINATION

9 BY MR. WIMBERLEY:

10 Q. Mr. Purdom, I just want to make a few
11 things clear.

12 You're not the one on your team that
13 identified the chloride and barium background
14 concentrations in the soil and groundwater; right?

15 A. I'm not the one who did that; correct.

16 Q. And you're not the one that identified
17 any of the AOIs according to RECAP?

18 A. Correct.

19 Q. And you're not the one who decided what
20 the groundwater classification was?

21 A. I did look at that data. Mr. Angle in
22 our team did go through that, but I was part of
23 that discussion and reviewed that.

24 Q. You're relying upon Mr. Angle's opinion
25 for that; right?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. Correct. But I concur with Mr. Angle's
2 assessment that it's a GW 3.

3 Q. Just because there's a public water
4 supply available, does that mean that we're not
5 supposed to protect the groundwater under RECAP?
6 Does that have anything to do with the definition
7 of groundwater under RECAP?

8 A. Repeat the -- I'm not quite sure where
9 you're going.

10 Q. The availability of the public water
11 supply, does that play into the classification of
12 groundwater under RECAP?

13 A. Well, what I'll say is this -- this --
14 the shallow groundwater that we do see at the
15 surface is unusable due to its poor nature and the
16 yield that we have. So we don't identify that
17 there's a useable source of groundwater there at
18 the site until you get into the Chicot Aquifer.

19 Q. And you're going to rely on Mr. Angle to
20 ^sum that up?

21 A. Well, I agree with that. I think
22 I've -- I've looked at that data and -- but with
23 Mr. Angle's -- ultimately being the person who's
24 going to opine on the groundwater classification,
25 but I have looked at the data as well and

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 completely agree that it's a GW 3.

2 Q. So the ground out there from zero to
3 30 feet, is it soil or is there an aquifer?

4 A. I would not consider any aquifer below,
5 down until you get to the Chicot.

6 Q. Okay.

7 Now, the shallow groundwater stringers
8 that you described, would you consider those
9 hydraulically connected?

10 A. In some areas, there's some connection.
11 But for the most part, as we showed on those
12 cross-sections, you'll have borings right next to
13 each other where there is absolutely no
14 connection. So no, I don't determine this to be a
15 continuous connected to groundwater zone.

16 Q. So they're somewhat connected but not
17 fully connected?

18 A. There's areas where -- there's small
19 areas where there is some connection, but these
20 are really more stringers, and we've put some in
21 the ground where there was small areas of
22 connection. But for the most part across the
23 facility, we even had a lot of areas where we went
24 to go look to take groundwater samples and there
25 was nothing there to collect or the samples, when

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 we were purging, they went dry.

2 Q. So the various stringers out there, as
3 you describe them, are they separate aquifers?

4 A. I'm not calling them aquifers. I'm
5 calling them basically stringers of silt that have
6 a little bit of water in them, but I don't
7 consider them an aquifer.

8 Q. So it's your understanding that there
9 are no aquifers out there below or above 120 feet?

10 A. There are zones where there is --
11 there's groundwater zones out there or groundwater
12 stringers out there, but I do not consider that to
13 be an actual aquifer or usable aquifer.

14 MR. WIMBERLEY: I think that's all I have.

15 (Discussion off record.)

16 BY MR. WIMBERLEY:

17 Q. And just to clarify that, you said you
18 have made a determination that it's a
19 Groundwater 3?

20 A. Yeah. Ultimately, Mr. Angle made it,
21 but I agree with that.

22 Q. And how can you have a Groundwater 3
23 without an aquifer?

24 A. It's a Groundwater 3 zone, is a
25 water-bearing zone. I'm talking about a useable

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 aquifer that can be used for public consumption.

2 Q. So it is an aquifer?

3 A. It's a water-bearing zone. It's
4 stringers of that -- of water, but I don't
5 consider that to be an aquifer.

6 Q. Do you understand that, under
7 definitions in RECAP, a Groundwater 3 means it's
8 an aquifer?

9 A. It follows up with that word "aquifer,"
10 but it's a water-bearing zone.

11 MR. WIMBERLEY: No further questions.

12 JUDGE PERRAULT: Any redirect?

13 MR. GREGOIRE: None.

14 JUDGE PERRAULT: Do any of you have questions
15 for this witness?

16 PANELIST DELMAR: Yes, Your Honor. We're
17 kind of discussing it.

18 JUDGE PERRAULT: Do you need a second? Take
19 a second.

20 While they're doing that, I want it make
21 it clear. Let's see. Exhibit 1.7, which was
22 the curriculum vitae, was there any objection
23 to that being admitted into evidence?

24 MR. CARMOUCHE: No. No objections.

25 MR. GREGOIRE: Judge, just for clarity on the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 record, Mr. Purdom referred to several of the
2 attachments and appendices in the proposed
3 most feasible plan. So with that being said,
4 Chevron files and offers Chevron Exhibit
5 No. 1, which is its proposed feasible plan
6 and attachments. In addition to Chevron 147,
7 which is his CV, Chevron 45, which is RECAP
8 that Mr. Purdom referred to in his testimony,
9 and Chevron 46, which is 29-B.

10 MR. WIMBERLEY: Can you state the one right
11 before 29-B?

12 MR. GREGOIRE: RECAP, Chevron 45.

13 JUDGE PERRAULT: So you're offering
14 Exhibit 145 and 46, and we've already done
15 1.7?

16 MR. GREGOIRE: Yes, Your Honor.

17 JUDGE PERRAULT: Any objection to Exhibit 1,
18 Exhibit 45 or Exhibit 46?

19 MR. CARMOUCHE: No, Your Honor.

20 JUDGE PERRAULT: No objections. So ordered.
21 They shall be admitted.

22 MR. GREGOIRE: Just for clarity, I didn't
23 hear that. Some folks said you may have said
24 "1.47." It's 147 is Mr. Purdom's CV.

25 JUDGE PERRAULT: So it's not 1. -- it's 147?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 MR. GREGOIRE: Yes.

2 JUDGE PERRAULT: So Exhibit 147, Mr. Purdom's
3 curriculum vitae, is admitted into evidence
4 without objection.

5 Thank you for correcting that.

6 JUDGE PERRAULT: Is the panel ready?

7 PANELIST DELMAR: Yes, Your Honor.

8 JUDGE PERRAULT: Who wants to go first?

9 PANELIST DELMAR: I will. Chris Delmar.

10 JUDGE PERRAULT: Okay. Please proceed.

11 PANELIST DELMAR: So I have a couple of
12 questions about the cross-section -- well, I
13 have a question about the cross-section as
14 well as some of the potentiometric surface
15 data that was measured.

16 So for the cross-section locations, you
17 have the A to A prime. It has a nice east to
18 west look, trend until about H-3 and then it
19 makes this big sort of north-south dog leg.

20 Could you explain why y'all decided to
21 make that sort of track?

22 THE WITNESS: Really, we wanted to really
23 just capture all of the data that was right
24 over there in that background. So it was
25 just to capture more area. So it was -- we

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 could have cut it off at -- I think it was
2 H-32 A and B where we had, so we could have
3 cut it off at that point, but we were right
4 there with those other two, so we just let it
5 jut down.

6 PANELIST DELMAR: Also, between H-3 and H-32,
7 are there any other sample points there, any
8 logs available that could have given some
9 more information? Judging by the scale, it's
10 about 2500 to 3,000 feet of just here's one
11 spot, here's the other one, here's the next.

12 THE WITNESS: Yeah. So we did look at the
13 deeper borings to try to get the most
14 indication. There were some more borings,
15 but they just didn't have the depth to really
16 provide a whole lot of detail that really
17 meant anything. All of our boring logs are
18 included in our expert reports and so we've
19 produced that, so they're there and
20 available, but there wasn't any, you know,
21 real reason why we didn't include those,
22 other than they just really provide the depth
23 information.

24 PANELIST DELMAR: And the cross-section for C
25 and D, those are in the MFP?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 THE WITNESS: Correct.

2 PANELIST DELMAR: The figures? Okay.

3 They weren't in the presentation. I
4 just wanted to make sure.

5 THE WITNESS: Right. Just for the time and
6 consideration, we just wanted to have those
7 couple in there.

8 PANELIST DELMAR: Also, do you -- I'm going
9 to jump around a little bit on my questions.
10 But do you know the depth of the Bayou
11 Lacassine?

12 THE WITNESS: Yes. We did measure that. I
13 believe it's 10 feet was the depth to the
14 bottom.

15 PANELIST DELMAR: Okay.

16 And I do have one question about, again,
17 the potentiometric surface on H-10. When you
18 had it measured, most of the wells in the
19 area were 1 foot or minus 1 foot below sea
20 level. This one was minus 5. So there's
21 obviously a very significant difference
22 between that. Was water removed before the
23 sampling? Like was it -- because I'm
24 assuming no one's pumping from this
25 monitoring well?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 THE WITNESS: Right.

2 PANELIST DELMAR: So I don't assume it's a
3 pumping center. But what caused that sort of
4 draw-down at that spot?

5 THE WITNESS: Which well was that? Was that
6 the one over towards the far east?

7 PANELIST DELMAR: H-10.

8 THE WITNESS: So no. We never -- the first
9 thing we do when we go out to take the water
10 levels is that's our first activity, so no
11 draw-down, no type of pumping or sampling is
12 occurring prior to that water level being
13 collected.

14 PANELIST DELMAR: So just sort of minus --
15 just negative 5 feet is kind of anomalous,
16 "something happened and you don't know what"
17 kind of thing?

18 THE WITNESS: Well, it could be the
19 stratigraphy down below. That may be the one
20 where there's a little more sandy zone to it.
21 So I believe that may be part of the
22 explanation there.

23 PANELIST DELMAR: And my last question,
24 referring to the chloride in groundwater
25 slide, the background value that you placed

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 at the bottom of the slides was
2 687 milligrams per liter.

3 THE WITNESS: Correct.

4 PANELIST DELMAR: And I'm looking at the
5 background values in Area 1 and Area 9. And
6 all of those are lower than 687. So how did
7 you calculate background for that?

8 THE WITNESS: Yeah, so that was done by --
9 within our ERM team using the ProUCL
10 software, and Ms. Levert would have to go
11 into a little bit more detail on how that was
12 done, but that was done through ProUCL.

13 JUDGE PERRAULT: Anyone else have a question?

14 PANELIST OLIVIER: I think we're good. Thank
15 you.

16 MR. CARTER: Our next witness is Patrick
17 Ritchie.

18 JUDGE PERRAULT: Do y'all want to take a
19 ten-minute break?

20 Any objection? We're going to take a
21 ten-minute break, and then we'll come back
22 with your next witness.

23 We'll go off the record.

24 (Recess taken at 10:45 a.m. Back on
25 record at 10:58 a.m.)

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 JUDGE PERRAULT: We're back on the record.
2 It's now 10:58. I'm Charles Perrault. We're
3 conducting a hearing, Docket No. 2022-6003.
4 Chevron's presenting its case, and it has its
5 second witness.

6 MR. CARTER: Yes. Chevron calls Patrick
7 Ritchie.

8 JUDGE PERRAULT: Come forward, sir.
9 Please state your name for the record.

10 THE WITNESS: Patrick R-I-T-C-H-I-E.

11 PATRICK RITCHIE,
12 having been first duly sworn, was examined and
13 testified as follows:

14 DIRECT EXAMINATION

15 MR. CARTER: And as with Mr. Purdom, we'll
16 provide copies of the PowerPoint presentation
17 that will be presented with Mr. Ritchie's
18 testimony.

19 JUDGE PERRAULT: State your name for the
20 record.

21 MR. CARTER: I'm Johnny Carter.

22 BY MR. CARTER:

23 Q. Mr. Ritchie, please introduce yourself to
24 the panel.

25 A. Yes. My name is Patrick Ritchie.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. What do you do, Mr. Ritchie?

2 A. I'm an ecologist, and I work with my own
3 company, Ritchie Ecological Environmental
4 Services.

5 Q. What is your role in this case?

6 A. The role in this case, I have worked
7 with Dr. Luther Holloway. We have coauthored a
8 report. Our purpose of our study was to view the
9 vegetation health of the site and characterize the
10 effective root zone of the vegetation growing on
11 the site.

12 Q. What is your educational background?

13 A. I have a bachelor's degree in ecology
14 and evolutionary biology from Tulane University.
15 I also have a master's degree from University of
16 Florida College of Agriculture and Life Sciences
17 in soil and water science.

18 Q. Do you have professional certifications?

19 A. I do. I have two professional
20 certifications. The first one is a certified
21 senior ecologist that requires ten years of
22 experience in the field of ecology as well as
23 education as well. Similar, the professional
24 wetlands scientist also has requirements for
25 education and experience, and I hold both of those

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 currently.

2 Q. Do you have experience in evaluating
3 effective root zones?

4 A. Yes. I have significant experience over
5 the last eight to ten years working with these
6 cases and determining effective root zone studies.
7 I've conducted over 25 of these in one way, shape
8 or form, all in Louisiana starting with field
9 work, conducting the field work, also helping with
10 producing any of the documents that go into the
11 report and writing and altering my own effective
12 root zone determinations as well.

13 Q. How many of the effective root zone
14 studies that you have worked on have involved
15 agricultural land?

16 A. The majority of them have. In these
17 cases, we will view the different habitats that
18 are present at the site. And many of the sites in
19 Louisiana have some agronomic component to it, and
20 we've reviewed those as well.

21 JUDGE PERRAULT: Mr. Ritchie, please speak
22 louder.

23 THE WITNESS: Yes, sir.

24 BY MR. CARTER:

25 Q. Mr. Ritchie, you coauthored the report

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 with Dr. Holloway. You mentioned Dr. Holloway.

2 Who is Dr. Holloway?

3 A. Dr. Luther Holloway is a Ph.D. who has
4 done effective root zone studies for many years.
5 He has significant experience, over 40 or 50 years
6 of experience, and I've worked with him for many
7 years and others that have done effective root
8 zone studies in Louisiana, but he has since
9 retired.

10 Q. Have you testified before LDNR before?

11 A. That is correct, I have.

12 Q. Which case was that?

13 A. That was the Newman case.

14 Q. What did you testify about in the Newman
15 case?

16 A. It was similar to this case. I did an
17 effective root zone study with Dr. Luther Holloway
18 in that case, also viewing the vegetation and the
19 different habitat types of that property as well.

20 Q. Have you worked with Dr. Holloway on
21 matters where he testified to LDNR about the
22 effective root zone?

23 A. Yes. We've been working together
24 similar, in a partnership so to speak, for many
25 years. And some of these cases that he's worked

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 on most notably would be Hero Lands recently, LA
2 Wetlands and some others, yes.

3 MR. CARTER: We tender Patrick Ritchie as
4 expert in botany, agronomic and plant
5 ecology, soils and root zone analysis.

6 MR. KEATING: Your Honor, Matt Keating for
7 Henning. I don't have any questions or
8 traverse.

9 JUDGE PERRAULT: Do you accept him as...

10 MR. KEATING: I'm not challenging the tender.

11 JUDGE PERRAULT: Please proceed.

12 MR. CARTER: We'd also like to offer and file
13 Chevron Exhibit 5.

14 BY MR. CARTER:

15 Q. And you have a copy of that if you need
16 to refer to it; correct, Mr. Ritchie?

17 A. Yes, sir.

18 Q. What is that, Exhibit 5?

19 A. This is the author -- the report that I
20 authored with Dr. Luther Holloway.

21 Q. Please summarize your opinions in this
22 matter.

23 A. So when doing an effective root zone
24 study, it's very important to do a site-specific
25 study. And so that's what Dr. Luther Holloway and

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 I have done at this property. We assessed the --
2 surveyed the rice crops, also some trees and some
3 herbaceous vegetation in the fallow areas of the
4 property. We've also determined the effective
5 root zone, and it's very shallow for this type of
6 site, these types of soils. And the effective
7 root zone is -- ranges between 5 and 10 inches.
8 And in our study, we also take a tour of the site,
9 and we look at the vegetation. And as the panel
10 has seen in some of our aerial views and drone
11 footage, the property is growing healthy and has
12 robust vegetation throughout the site.

13 Q. So we've been using this term "effective
14 root zone." What is an effective root zone?

15 A. So the effective root zone represents
16 the portion of the plant's root system that
17 obtains the maximum amount of nutrients and water
18 that sustains it through its entire life cycle,
19 through its germination all the way through its
20 growth and reproductive cycle.

21 Again, it's not the deepest roots, but
22 it is the majority of the root system.

23 Q. There is an illustration on this slide.
24 What is this illustration that is on this slide?

25 A. So this is important for the panel to

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 see and understand. So this is photographs that
2 were taken from the soil cores from the samples
3 that we collected in our observations. So for
4 this sample, it's R-03, which is a rice specimen
5 that we collected in the field.

6 And what you can see on the left is a
7 collection of the photographs that we took of the
8 core itself. And what I did was I highlighted the
9 root systems as we saw them in the field. This is
10 a diagram or representation. So it's not to
11 replace all of the studies that we've done, but
12 it's to give you an idea of what we're looking at
13 when we determine this effective root zone. And
14 as you can see here, there is a scale going from
15 the surface all the way down to 2 feet, 24 inches.
16 And what we have in this section on the right is
17 we've removed the photographs and so you can see
18 essentially the root system that we're reviewing
19 while we did our study. And in this example, you
20 can see that we've determined the effective root
21 zone to be 5 inches. We notice that there are a
22 couple of little de minimus roots below that, but
23 as you can see and the panel understands, a large
24 percentage of root systems are within that
25 effective root zone.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. How is the methodology for analyzing
2 effective root zones and effective root zone
3 studies, how has that been developed?

4 A. It's been developed over many, many
5 years. So root zone studies are very
6 labor-intensive, and the methods of looking at
7 roots and root systems really hasn't changed much
8 over the years. And what we have here is one
9 example of one of the oldest documents that we've
10 used as -- as one of the methods or documents that
11 describe the methodology for conducting one of
12 these assessments.

13 This one's a 1971 paper from Sherman and
14 Genuchten. It's a Dutch paper, and it's been
15 supplemented with multiple iterations of new
16 studies and new types of papers and peer-reviewed
17 papers that all have consistent methodology
18 similar to what we have used in this site.

19 Q. What are the methods that you find in
20 the literature for studying effective root zones?

21 A. So for this site, we incorporated and
22 utilized three different methods. So as the quote
23 down at the bottom is another paper that describes
24 methodology, it's often necessary to do multiple
25 methods. Root systems are very complex, and the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 different vegetation types warrant multiple
2 methods. And what we did here is we looked at
3 three different methods: excavation, a monolith
4 and the hand auger.

5 Q. Describe the excavation method.

6 A. The excavation is simply what it sounds
7 like: We get out there with some shovels and hand
8 tools and we excavate the root system. We'll go,
9 we'll find a nice healthy tree and we will look at
10 the root systems that are growing laterally and
11 vertically and we'll excavate around all the major
12 roots and follow them down if -- with depth to
13 conduct our assessment using that method.

14 Q. Describe the monolith method.

15 A. So the monolith method is a wholesale
16 extraction of the soil core, the vegetation, and
17 the root system. As you can see in the photo here
18 in the middle, we use a spade and we dig out a
19 large chunk of soil. It's a big soil core. And
20 what we'll do is we'll lay out that soil core,
21 we'll cut it open and expose the root systems of
22 the plants. So we'll follow from the surface all
23 the way throughout that profile and expose the
24 root systems to make our determination, as you can
25 see in this photograph.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. And describe the hand auger method.

2 A. And the hand auger is an additional
3 method that we'll utilize particularly in deeper
4 soils. I'm sure the panel has used a hand auger
5 before. We've all gotten behind one and turned it
6 in the soil. And what we'll do is, similar to the
7 monoliths, is turn the hand auger, pull out a soil
8 core, expose the roots that are present or absent
9 in that, and make our determination based on that
10 method as well.

11 Q. Did you use all of these techniques for
12 your root zone study on the Henning property?

13 A. Yes, we did.

14 Q. When did you go to the Henning property?

15 A. It was November, December of 2021.

16 Q. So how many days were you on-site on the
17 Henning property for the effective root zone
18 study?

19 A. For this study, it was a week of work.

20 Q. And that was in November, December?

21 A. Yes, sir, that's correct.

22 Q. How were you able to do a vegetative
23 study in the winter?

24 A. There is definitely some differences in
25 an overwinter survey than in the spring; however,

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 many plant species will actually flower or grow
2 seeds and produce in the wintertime, as some of
3 the panel may know.

4 We also have evergreen species and
5 things like that that we can observe. And then
6 also just as far as trees and things like that go,
7 just looking at the structure of the ecosystem,
8 the presence of particular species, their growth
9 habit, and just the nature of them makes it
10 possible to do that. I've had quite a substantial
11 experience doing overwinter surveys throughout my
12 career.

13 Q. What is the effect of looking at rice in
14 particular during that time of year in November,
15 December time of year?

16 A. So what is important about this was the
17 crop had fully developed, it had been grown and
18 cut. So this is after the harvest of the rice.
19 So the root zone that we're looking at postharvest
20 is the most mature root zone that you could have
21 in the plant. So what we're seeing is the most
22 robust root system that this plant would have
23 during our investigation.

24 Q. How much of the Henning property did you
25 see when you visited it?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. We do a tour of the entirety of the
2 site, particularly around some of the well
3 locations that are part of this hearing today.
4 And that's what we do, is the majority of the
5 site, we look at it, yes, sir.

6 Q. What sorts of vegetation did you see on
7 the property?

8 A. So what we'll try and do is get a good
9 representation of how the land is being used with
10 the vegetation types that we have there. So this
11 one, we have obviously rice agricultural crop, but
12 we also found some areas where there were trees
13 growing. So we wanted to do an assessment of the
14 trees as well, particularly if there was some
15 potential for growth of trees. And also the
16 fallow areas where you had just vegetation
17 herbaceous shrubby vegetation growing at some of
18 the former agricultural fields. So those were the
19 three vegetative classes that we reviewed.

20 Q. What were your observations about the
21 agricultural crop?

22 A. It was extremely dense, they have
23 completed their harvest and everything up here to
24 be similar to a fine-growing rice crop.

25 Q. What were your observations about the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 trees on the site?

2 A. So the trees, as Mr. Purdom had shown
3 through some of those historical aerials, there
4 was a lot of operations on-site and so the trees
5 that we were able to find, they were either by
6 Bayou Lacassine, but the ones that we investigated
7 were central to the property. They were a second
8 growth. They had mixed class of different
9 species. And what we did is we made observations
10 of the most dominant and oldest trees that we saw
11 on the site.

12 Q. What were your observations about the
13 herbaceous plants on-site?

14 A. Now, the herbaceous plants were very
15 vigorous. And you can see in this photograph, and
16 those panel members that have been on-site, you
17 can see there's a wide variety of different
18 species growing in those fallow areas.

19 Q. So on the next slide, what is this map
20 showing?

21 A. So this is a representation of our
22 sample locations. So we have selected three tree
23 different species: The red maple, the sweet gum
24 and the Chinese tallow. Of course, that is an
25 invasive species; however, it was pretty dominant

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 on-site, so it was one of ours that we selected.

2 The herbaceous species, we had four
3 different species that we looked at. We had the
4 bushy bluestem, sand spikerush, common rush and
5 the sugarcane plume grass. And one thing notable
6 about that, which Dr. Helen Connelly will probably
7 discuss, those are often found in some wetlands
8 species as well.

9 And then we also did rice observations
10 as well.

11 So on this picture right here to the
12 left, or the western portion of the property,
13 those yellow dots indicate the herbaceous
14 locations. And those were fields that were left
15 fallow during the time of our investigation.

16 The central portion, those green dots
17 indicate the three locations where we observed the
18 trees. And then to the east and southeast, those
19 are the blue dots that indicate where the rice
20 observations were made.

21 Q. How did you select the specific
22 locations that are shown on the map?

23 A. So before we go out in the field, we do
24 a number of different things to select our
25 locations. One thing is we'll look at historical

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 aerial photos, again looking at if there are any
2 footprints of formal operational areas or any
3 other kind of land activity.

4 We'll also look at the USDA soil survey.
5 We like to try and get a good representation of
6 the different types of soils on-site, as soils can
7 dictate root growth and penetration in the soils
8 as well.

9 And then other things, like ICON's
10 report or any of these areas of -- you know, where
11 the sampling has been conducted. And what we'll
12 do is we'll take all of that information and we'll
13 try to get a good representation of the property
14 and avoiding some of those constraints that I
15 mentioned as far as former operational areas and
16 things like that.

17 Q. So let's look at each type of specimen
18 separately.

19 How did you measure the root zone for
20 the rice?

21 A. So what we did with the rice is we did a
22 combination of the monolith and the hand auger.
23 So going down to 24 inches, maybe a couple inches
24 here or there with the hand auger, but generally
25 what we did was similar to what I had described

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 previously. We extracted the rice crop, we opened
2 up the soil core and looked at it and made our
3 assessment of the rooting depth of this. And the
4 effective root zone for the rice crops ranged from
5 5 to 7 inches.

6 Q. How did you measure the root zone for
7 the trees?

8 A. So trees are a little bit more -- a
9 little bit more work out there; right? So we had
10 a number of individuals, and we all had shovels
11 and spades and hand augers and everything else,
12 and we went out there and excavated around all of
13 these roots. What the panel can see in this
14 photograph, we spray-painted the roots bright
15 yellow so that you could see where the roots go.
16 So we follow those major roots, and we dig around
17 them and then find if there's any roots that are
18 descending in the profile, we'll dig and follow
19 those as well, and we'll make our assessment based
20 on those excavations. And for this site, we had
21 effective root zone between 5 and 10 inches for
22 the different trees.

23 Q. And how did you measure the effective
24 root zones for the herbaceous plants?

25 A. Herbaceous is the exact same methodology

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 as the rice. We extracted the monolith, also did
2 hand augers below it. And as you can see on the
3 right-hand side, we were able to cut the core
4 open, view the root systems as they were growing
5 in situ on the site, and we had an effective root
6 zone between 5 and 9 inches.

7 Q. Well, let's summarize your opinions in
8 the case. What is your first opinion?

9 A. So the assessment started with a general
10 tour of the site. So we went to these former
11 operational areas. And we look at vegetation. We
12 try and look and find any of these indications
13 that there has been impacts to the vegetation,
14 which there were none.

15 The wide variety of species that we saw
16 on-site were productive and growing and had no
17 visible signs of impacts from any of the E&P
18 operations.

19 Q. What is your second opinion?

20 A. The next opinion has to deal with the
21 soil. So again, root zone studies are specific to
22 the soil types. Again, the soil types that we
23 have here are silty clay with some real heavy
24 clay. If you went and got a shovel out there and
25 you pulled that monolith out, they call it heavy

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 clays for a reason. It's pretty heavy. And so,
2 because of that clay content, it's naturally
3 flooded. A lot of those areas were flooded, which
4 makes it perfect for rice cultivation.

5 Q. And what is your third opinion in the
6 case?

7 A. The third one deals with remediation.
8 So the purpose of the effective root zone is to
9 provide additional insight or additional parameter
10 to Mr. Angle and others that will -- the panel to
11 determine what remediation depth is necessary for
12 the growth of vegetation.

13 So we highlighted that the effective
14 root zone is quite shallow in this case and that
15 anything beyond that, for the growth of
16 vegetation, is unnecessary.

17 MR. CARTER: Thank you for your time. We
18 pass the witness.

19 JUDGE PERRAULT: Any cross?

20 MR. KEATING: Yes, Your Honor.

21 CROSS-EXAMINATION

22 BY MR. KEATING:

23 Q. Judge Perrault, panel members,
24 Mr. Ritchie, Matt Keating for Henning Management
25 LLC.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Mr. Ritchie, do you recall I took your
2 deposition in this case a few months back?

3 A. Yes, sir. You feeling better now?

4 Q. I am. Thank you.

5 I just want to clarify a few things with
6 regard to this particular property and what your
7 knowledge or experience may be relative to the
8 property. Okay?

9 A. Yes, sir.

10 Q. You've never done any rice farming;
11 correct?

12 A. I am not a rice farmer.

13 Q. And you've never done any sugarcane
14 farming; correct?

15 A. No.

16 Q. You aren't offering any opinions about
17 whether or not this property is suitable for rice
18 or sugarcane farming; true? That would be outside
19 your expertise?

20 A. I think that my opinion deals with the
21 remediation depth for the rice or the growth of
22 rice, so I don't think that is a correct
23 statement.

24 Q. Okay. So you believe that you are
25 competent to say that this property right now is

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 suitable for growing rice?

2 A. It's growing rice as we speak, so I
3 believe that that is a positive statement.

4 Q. Are you aware that the district court
5 judge has ordered that, based on Chevron's
6 admission, the Henning property is not suitable
7 for its intended uses?

8 A. I've reviewed the order, but again,
9 that's legal determination; so as a scientist, I'm
10 looking at the site itself and making my
11 determination based on the data that I collected.

12 Q. So you're choosing to not consider and,
13 in fact, ignore the district court's order?

14 A. That's not necessarily what I'm doing as
15 far as the legal interpretations and things like
16 that. That would be for an attorney or someone
17 else to handle. My purpose or scope of my work is
18 to provide the information for the panel and
19 others to determine those results.

20 Q. You're not asking these panel members to
21 ignore the district court's order, are you?

22 A. No. Again, my scope is based on the
23 study that I did as far as determining effective
24 root zone.

25 Q. Have you ever been involved in the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 construction, maintenance, operation of any
2 crawfish ponds?

3 A. No.

4 Q. And you're not offering opinions about
5 whether or not this property is presently suitable
6 for crawfish farming, are you?

7 A. No.

8 Q. You agree it's very common for farmers
9 in South Louisiana to rotate between rice farming
10 and crawfish farming?

11 A. Yes.

12 Q. Have you ever been involved in preparing
13 and maintaining rice fields for duck hunting?

14 A. No.

15 Q. You're not offering any opinions about
16 whether or not this property is suitable for duck
17 hunting, are you?

18 A. No.

19 Q. Have you ever constructed or maintained
20 a stocked fishing pond?

21 A. I have not.

22 Q. Have you ever been involved in seeding
23 the below-water surface structure of a stocked
24 fishing pond?

25 A. No, I have not.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. You're not offering any opinions about
2 whether or not this property is suitable for
3 stocked fishing ponds right now, are you?

4 A. I'm not opining on that.

5 Q. Are you experienced in residential or
6 commercial building construction?

7 A. I have experience with site assessments,
8 permitting for commercial and industrial
9 facilities. I do have that experience.

10 Q. Okay. Did you do any determination in
11 this case whether this property was presently
12 suitable for residential or commercial
13 development, be it warehouses, rice drying
14 operations or even a residential subdivision?

15 A. No. That is not part of my...

16 Q. So you're not offering any opinions
17 about whether the property is or is not suitable
18 for those things?

19 A. No. That's outside of my scope.

20 Q. When I deposed you back in August, you
21 said that you had not read the Henning Management
22 corporate deposition; correct?

23 A. That's correct.

24 Q. Have you since read it?

25 A. Yes, I have.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. So, to be fair, you did not take into
2 consideration what Mr. Henning's potential future
3 uses of the property are in your analysis; true?

4 A. In the report, no.

5 Q. Okay. And the only portion of the most
6 feasible plan proposed by Chevron that you
7 authored is essentially opining on the effective
8 root zone and attaching your report; correct?

9 A. That is a correct statement.

10 Q. Your determination of the effective root
11 zone of this property is limited to whatever
12 vegetation is currently on the property; right?

13 A. Yes. But it is also suitable for --
14 with my experience, for other vegetative uses as
15 well.

16 Q. That's outside the scope of your report
17 and your opinions in this case, is it not?

18 A. We did not reference any other sites in
19 my report.

20 Q. Okay. You'd agree that there are many
21 other potential future uses of this property that
22 have nothing to do with the effective root zone;
23 correct?

24 A. That's correct.

25 Q. Okay.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 And any issues relative to
2 contamination, whether there is or is not
3 contamination on the property, is outside of your
4 area today; correct?

5 A. I have not opined on contamination.

6 Q. Okay. Your opinions with regard to
7 effective root zone have no bearing on any
8 groundwater -- whether or not any groundwater
9 remediation is required; true?

10 A. No. I don't have any opinions on
11 groundwater.

12 Q. You agree some crops are more
13 salt-tolerant than others?

14 A. I agree with that.

15 Q. You agree that when you have an EC, or
16 electrical conductivity which Mr. Purdom talked
17 about earlier, above 3 millimhos per centimeter,
18 your rice crops can have a reduction in yield?

19 A. There has been published studies that
20 have that as a threshold; however, there are
21 site-specific things that could have differences.

22 Q. But that's a peer-reviewed published
23 standard that generally is applied?

24 A. Yes.

25 Q. Okay. Similarly, when you have EC above

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 1.7 millimhos per centimeter, sugarcane crops can
2 have a reduction in yield; true?

3 A. That's true. And as far as literature,
4 I've also seen literature that has numbers that
5 are greater than that. And some of my experience
6 in sugarcane has countered to that number as well.
7 And that's what I'm basically saying, is that I
8 have experience with other sites that have had
9 similar crops grown and those numbers are not a
10 hard and fast rule.

11 Q. Okay.

12 Can you cite to any publications that
13 say otherwise?

14 A. Off the top of my head, I'd have to go
15 back and look at some of my other references, but
16 there -- I do have some.

17 Q. Do you agree that when you have EC above
18 1.0 millimhos per centimeter, soybean crops can
19 have a reduction in yield; correct?

20 A. I don't believe that's true.

21 Q. The same publications that you
22 acknowledged with regard to 3.0 for rice and 1.7
23 for sugarcane say 1.0 for soybean but you disagree
24 on the soybean?

25 A. Well, again, we're looking at

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 publications. There's a number of publications
2 that give a variety of ranges of thresholds. So
3 for me to just tell the panel that this is a
4 number that you need to look at, there is a wide
5 variety of studies and things like that and that's
6 why site-specific information is probably
7 important.

8 So for my experience, there is healthy
9 rice growing on-site, is where I would defer to my
10 opinions in this case.

11 Q. You didn't undertake to evaluate the
12 salt tolerance of the various vegetation on this
13 property, did you?

14 A. No.

15 Q. All you did was an effective root zone
16 analysis; correct?

17 A. That's correct. I did not do that
18 analysis.

19 Q. You coauthored this report with
20 Dr. Luther Holloway; correct?

21 A. Yes, sir.

22 Q. Is Dr. Holloway kind of a mentor of
23 yours?

24 A. He has been for years, with many others.

25 Q. And he's, as you stated earlier

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 candidly -- you and I are both a little younger --
2 more experienced at doing root studies at this
3 point in your career; true?

4 A. I've probably done -- I'm not sure the
5 exact number he's done, but as far as the ones
6 here in Louisiana, I've probably conducted work
7 with him on almost all of them other than, you
8 know, maybe a handful of them. So the last ten
9 years, I've worked on almost all of the ones he's
10 worked on in Louisiana.

11 Q. And he had another 30 or 40 years before
12 that on his own?

13 A. Well, yes; correct.

14 Q. You ultimately determined that the root
15 zone to be considered for any soil excavation on
16 this property is 12 inches; correct?

17 A. For the growth of vegetation, yes.

18 Q. Okay.

19 You previously told me when I took your
20 deposition that you did not do any work on the
21 Litel case, the Litel property; correct?

22 A. That is correct.

23 Q. Since I took your deposition back in
24 August, have you looked into the Litel matter at
25 all?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. Yes, I have.

2 Q. You would agree with me, then, that the
3 Litel property is located about 3 miles from the
4 Henning property?

5 A. Yes.

6 Q. Are you aware that Dr. Holloway
7 determined the effective root zone on the Litel
8 property, a rice farm less than 3 miles from the
9 Henning property, to be 24 inches?

10 A. So at the time, I didn't know how to
11 answer that question, but I do now. The rice
12 growing on the Litel property had an effective
13 root zone ranging from 5 to 11 inches. So the
14 deepest effective root zone for the rice was
15 11 inches on that site.

16 Q. You're aware, though, that Dr. Holloway
17 recommended soil excavation down to 24 inches,
18 which is twice what you're recommending in this
19 case; correct?

20 A. Yes. And again, to the panel's
21 understanding, is that we will give a
22 recommendation based on a wide variety of
23 vegetation. There was some vegetation that
24 Dr. Holloway viewed on the Litel property that was
25 not present at the Henning property.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. You previously told me that you had not
2 done any work on East White Lake, or Vermilion
3 Parish School Board case; correct?

4 A. That's incorrect.

5 Q. You have done with work on it?

6 A. East White Lake? Yes.

7 Q. Okay. Do you recall when I previously
8 asked you if you were aware of how deep the soil
9 excavation had gone at the south tank battery B
10 pit?

11 A. No. That is the portion that I did not
12 have any participation in, yes.

13 Q. You're aware that ERM, your company,
14 recommended soil excavation only down to 24 inches
15 at the south tank battery B pit when they came to
16 this LDNR?

17 A. Again, I think my answer's the same. I
18 don't recall or have knowledge of what those
19 decisions were.

20 Q. Are you aware or are you not aware that
21 Chevron has now been required to excavate soil
22 down to 8 feet at that location?

23 A. I have no knowledge of that project
24 anymore.

25 Q. Are you familiar with the AgriSouth

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 matter that came before this LDNR panel?

2 A. I am aware of that, yes.

3 Q. You're aware, then, that the root zone
4 was determined to be 8 feet on that property?

5 A. So in reading that, there was a couple
6 different things with that. They looked at a
7 total rooting depth as opposed to an effective
8 root zone, and there was also -- rooting depth was
9 not 8 feet, as I recall. It was less than that.

10 Q. Do you recall that for certain?

11 A. As I sit here today, I believe that was
12 what I had read.

13 Q. Okay. It was significantly more than
14 12 inches, was it not?

15 A. It was greater than 12 inches.

16 Q. Do you recall, when you visited the
17 Henning property, seeing multiple live oak trees
18 out there?

19 A. There were live oaks, yes.

20 Q. Okay.

21 Have you ever personally or
22 professionally been involved in planting a live
23 oak tree on property?

24 A. Yes. We actually planted one after my
25 mom passed, for her, yes.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. Are you aware that if you purchase a
2 10-inch-caliper live oak, for example, in a pot,
3 that you have at least a 4-foot root ball at the
4 moment you first plant it in the ground?

5 A. I don't have any knowledge of the
6 specifics of the root ball.

7 Q. Okay. And certainly you would expect
8 the roots to grow deeper with that after you plant
9 it, assuming the tree takes?

10 A. Well, there's -- again, to get into the
11 specifics of planting a tree and how the roots
12 function after that is pretty complex. I don't
13 know if you want to rephrase your question, maybe
14 I can give you a better answer.

15 Q. Well, have you -- did you include these
16 live oak trees on the Henning property as part of
17 your effective root zone determination?

18 A. No. But in the Newman matter, we did
19 view a live oak tree that had a similar effective
20 rooting zone as this one, and it was also in
21 Calcasieu Parish.

22 Q. A moment ago, you said it had to be very
23 site-specific. We have the Litel property less
24 than 3 miles away that we're going to distinguish
25 from this one.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 What is your understanding of the
2 typical rooting zone for a live oak tree?

3 A. Well, so we're asking about things that
4 we didn't assess in this study, so I'm going to
5 have to defer to my other experience when you ask
6 me questions about that. So...

7 Q. Why didn't you assess the live oak trees
8 on this property?

9 A. Because they were deer residents and
10 they were not in the -- in, as I would say, a more
11 native habitat of this site. So they weren't
12 considered for that reason.

13 Q. They're on the property, are they not?

14 A. Right. But as I've discussed with the
15 panel, when we select our locations, we have a
16 bunch of those areas that we kind of avoid; right,
17 because there could be some potential impacts to
18 the rooting depth based on that.

19 So if it's too close to a house, we've
20 all seen what happens to tree roots when they're
21 too close to a house and things like that. So
22 things like that are why we would not include a
23 sample location like that.

24 Q. There was a house on the property?

25 A. It wasn't a house that I recall. I

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 can't remember exactly what it was, but there was
2 some reason why we did not select that location.

3 Q. The bottom line, Mr. Ritchie, is that
4 your testimony is limited in this case to
5 determining what you think the effective root zone
6 is for the vegetation that's on this property?

7 A. Yes. And applicable to the vegetation
8 that would grow normally at this site based on the
9 types of soil conditions we have there.

10 Q. And certainly, you wouldn't suggest to
11 this panel that Mr. Henning should be limited in
12 what he wants to do with his property in the
13 future; true?

14 A. I'm not opining on that.

15 Q. You wouldn't want to be limited on your
16 property, would you?

17 A. That's a difficult question to answer
18 because there are limitations for any property
19 use.

20 Q. Legally?

21 A. Yes. Legally, yes. As long as it's
22 legal, yes.

23 Q. Fair enough. Thank you.

24 MR. CARTER: No redirect.

25 JUDGE PERRAULT: Does the panel have any

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 questions? None?

2 You're free to go. Thank you very much.

3 Next witness.

4 MR. CARTER: Chevron calls Dr. John Frazier.

5 JUDGE PERRAULT: With this witness, was there
6 an exhibit for his curriculum vitae?

7 MR. CARTER: That is in Chevron Exhibit 5.

8 JUDGE PERRAULT: Any objection -- are you
9 offering Exhibit 5 into evidence?

10 MR. CARTER: Yes.

11 JUDGE PERRAULT: Any objection to Exhibit 5
12 being admitted into evidence?

13 MR. KEATING: No objection.

14 JUDGE PERRAULT: No objection. It shall be
15 admitted.

16 JUDGE PERRAULT: Doctor, please state your
17 name for the record.

18 THE WITNESS: John Ronald Frazier.

19 JOHN FRAZIER,

20 having been first duly sworn, was examined and
21 testified as follows:

22 JUDGE PERRAULT: Do we have any documents?

23 MR. CARTER: Yes. We have a PowerPoint as
24 well for Dr. Frazier.

25 JUDGE PERRAULT: Thank you. Please proceed.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 DIRECT EXAMINATION

2 BY MR. CARTER:

3 Q. Please introduce yourself to the panel.

4 A. My name is John R. Frazier. I'm a
5 health physicist.

6 JUDGE PERRAULT: Please speak much louder.

7 THE WITNESS: Oh. I've got my hearing aids
8 in because I can't hear very good; but
9 because of that, I think I'm talking loud.

10 JUDGE PERRAULT: You're doing great right
11 now.

12 THE WITNESS: Okay. I will talk louder,
13 then.

14 A. Yes. My background, I have a bachelor's
15 of arts in physics. That's because I had to take
16 a language and that's what gives you the arts
17 thing. At Berea College. That's a small liberal
18 arts school in central Kentucky. I also have a
19 master's degree in physics from the University of
20 Tennessee and a Ph.D. in physics from University
21 of Tennessee with an emphasis in health physics or
22 radiation protection. I did my research at Oak
23 Ridge National Laboratory, and that's sort of my
24 educational background.

25 BY MR. CARTER:

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. Do you have any professional
2 certifications?

3 A. Yes. I'm a certified health physicist.
4 That's the only organization that certifies it, is
5 the American Board of Health Physics. I achieved
6 certification. The tests are a lot like a
7 professional engineer or something like that. I
8 achieved certification in 1981. And every four
9 years, you've got to recertify. And so I'm
10 recertified through 2025, I think it is.

11 Q. Have you received any professional
12 recognitions?

13 A. Yes. I'm -- I was elected member of the
14 National Council on Radiation Protection &
15 Measurements for 12 years and worked on several
16 committees writing reports for the NCRP.

17 The NCRP is an organization chartered by
18 Congress to advise the president and the Congress
19 on -- and the public on matters relating to
20 radiation protection and measurements.

21 I was then elected as a distinguished
22 emeritus member of the NCRP, which I now serve.
23 Our meeting is coming up in March in Bethesda.

24 Q. What is your experience with assessing
25 radiation at oil field sites?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. Several years. More like about 25 years
2 or so at oil field sites. Experienced both in
3 terms of making the measurements themselves of
4 radiation levels and then analyzing or evaluating
5 radiological data for environmental samples like
6 water and soil and vegetation over, I think,
7 about -- it lasted more than 25 years.

8 Q. How many times you have assessed
9 radiation in oil field sites in Louisiana?

10 A. Wow. I was discussing this with my
11 wife, and I said I don't know how many times, but
12 there have been many. And I said probably more
13 than 50. And my wife said, no, it's been more
14 than 100. So it's somewhere probably in that
15 range. It's lots of sites.

16 Q. Have you been accepted as an expert in
17 courts in Louisiana?

18 A. Yes, I have. Both in federal and state
19 courts.

20 Q. How many times have you been accepted as
21 an expert in courts in Louisiana?

22 A. Well, for testifying, I've never really
23 counted it exactly, but I'd say probably over ten
24 times.

25 Q. In what sorts of cases in Louisiana have

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 you been an expert in?

2 A. Several of the cases have been the
3 legacy claims of NORM-impacted soil or water or
4 equipment, and several of the cases were
5 associated with personal injury claims. I do
6 external -- I do not -- external, but I do
7 radiation dose assessments, external and internal.

8 MR. CARTER: I'd like to tender Dr. Frazier
9 as an expert in the areas of health physics,
10 radiation safety, soil and groundwater
11 radioactivity, and radiation dose assessment.

12 MR. KEATING: No objection.

13 JUDGE PERRAULT: No objection. He shall be
14 admitted as an expert.

15 BY MR. CARTER:

16 Q. And Dr. Frazier, did you prepare a
17 report in this matter?

18 A. Yes, I did. I brought along a copy.

19 Q. So yes, I'd like to file and offer
20 Dr. Frazier's expert report, which is Exhibit 3,
21 Chevron Exhibit 3, as well.

22 So -- very good.

23 So Dr. Frazier, let's talk about your
24 key opinions in this matter.

25 Could you summarize your key opinions in

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 this matter?

2 A. Yes. Two pieces of pipe that I found
3 and the plaintiffs found on the site, not very
4 long pieces of pipe that had above background
5 gamma radiation readings. I looked at -- by --
6 across the site or looking to see if I had more
7 equipment pipe on the site, but there were two
8 pieces found and actually plaintiff had
9 spray-painted them. So the opinion is, yeah, that
10 pipe needs to be removed and looked to see if
11 there's other in this location where it was.

12 The other thing was no indication of
13 impacted -- NORM-impacted soil on the site. And
14 the groundwater that had radiation -- well, excuse
15 me. Radium levels in it above the range of
16 background, there were three samples. They also
17 had large amounts of dissolved solids in them, and
18 the ratios of the -- the characteristics of the
19 radium in the water were not characteristics you
20 get with produced water coming up, but they were
21 characteristics of natural radium coming from soil
22 into the water.

23 Q. Were you retained in this matter around
24 June of 2021?

25 A. Yes. I think it was about two weeks

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 after ICON went out and did their NORM survey, I
2 got a call from the law firm representing Chevron.

3 Q. So at the time you were retained, did
4 you understand that ICON had gone out and surveyed
5 for NORM?

6 A. Yes. They had observed, on behalf of
7 the defendants with them, and they had Chevron
8 with them, and that observer had made some notes
9 and so they produced the notes to me, and I said,
10 well, it looks like there's a couple of pieces of
11 pipe out there.

12 Q. And then did you go out later and
13 conduct an assessment, a survey, yourself of the
14 Henning property for oil field NORM?

15 A. Yes, I did. My first response was: I
16 like the ICON report and I agree with -- I know
17 the guy that did it and I trust it, and I don't
18 need to go out there. They said, no, we want you
19 to go out there. So I went out there in June of
20 2022.

21 Q. When you went out there, did you assess
22 the background level --

23 A. No. I'm sorry. I went out there in
24 January of 2022. Sorry. Before my report.
25 That's the key thing.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. A few months after you were retained in
2 June of 2021?

3 A. That's right. Right, I was out there in
4 January of 2022.

5 Q. So when you went out to the Henning
6 property, did you assess the background radiation
7 levels of the property?

8 A. Yes. The external radiation background
9 on the property, assessed that and it agreed
10 pretty much with what ICON's representative had
11 found. It's around about 10 microR per hour.
12 That's the unit of external exposure rate -- over
13 soil -- or in contact with soil even, is about 6
14 over the gravel roads and things. It's lower over
15 the roads than it is over the soil. Soil has more
16 natural radioactive materials in it, naturally.

17 Q. What sort of equipment did you use for
18 your site assessment?

19 A. I used a gamma ray scintillation
20 detector. Actually, I have the one with me that I
21 used.

22 Q. Sure.

23 A. That's not coincidental. He said bring
24 your survey meter.

25 It's here (indicating). It's a gamma

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 radiation detector in this part of it here
2 (indicating).

3 And the -- it's a scintillation
4 detector. It sparkles when the gamma ray hits it.
5 Some of you probably use these. And the rate
6 meter is up above here, the high-voltage power
7 support.

8 And this is the type of sound you get
9 reading from just normal background. In this
10 room, it's about 5 microR per hour in here. And
11 that's from probably the materials around that we
12 have in the room and that also includes the
13 cosmic -- the gamma ray from cosmic rays, not
14 photo, not light, but gamma rays from that. So
15 that's the instrument I used.

16 Q. And you used that to measure the
17 background at the site when you got there?

18 A. Yes. Both in terms of in the air and
19 then I had a strap around it where I could lower
20 it down to the ground level. And, again, I got
21 about 10 microR per hour for the gamma readings at
22 the meter and then on the region down at the
23 ground.

24 Q. Did you conduct measurements -- you
25 mentioned a location where ICON had found two

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 pieces of pipe above background. Did you conduct
2 measurements there too?

3 A. Yes, yes. And all background till you
4 get right at the pipe, literally right at the
5 pipe, and you go down in contact with the pipe and
6 I was getting 70 microR per hour, and that's what
7 ICON's representative had gotten on the two pieces
8 of pipe. One was a few feet long, two or three
9 feet long. The other was a little longer piece of
10 pipe.

11 Q. And if we look at the next slide, can
12 you describe where it was that ICON had found the
13 two pieces of pipe measuring above background?

14 A. Yes. This is a great picture. It shows
15 where the pile of, sort of, trash was, and it says
16 "pipe" there.

17 It's east of the Limited Admission
18 Area 5. It's my understanding even while I was
19 there that Chevron had not operated where this
20 pile of trash was. But within that pile of trash,
21 there was another pipe and I surveyed all I could
22 get to in surveying, and there was no other
23 readings except for these two pieces. And I've
24 seen this type of thing before at other sites,
25 other states. You know, it's no evidence of where

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 this pipe came from, but it's there now, and it
2 should be removed.

3 Q. If you'll look at the next slide, what
4 is this next slide showing?

5 A. Oh, this is the piece of pipe that
6 ICON's representative Derek Pourciau, he had
7 actually spray-painted it. And this is one of the
8 pipes that had the elevated reading. In contact,
9 it was 70 microR per hour, and if you come up to a
10 meter, it's a little over a yard, above it, it was
11 background. So it's -- you have to be right on it
12 to find it, and it doesn't present an external
13 dose unless you're down lying on top of it.

14 Q. So could the two pieces of pipe that
15 were measured above background pose any potential
16 risk of radium in the soil or in the groundwater?

17 A. Well, I measured around on the soil and
18 so did Derek Pourciau. And no indication of
19 anything in the soil around there. Pipe -- the
20 scale or the NORM in pipe is usually on the inner
21 surface that's builds up over time as scale. It's
22 very insoluble. The only way you can get it out
23 of the pipe is either it falls out or knock it
24 out. And during remediation, they would take the
25 pipe and they'll put tape on both ends and haul it

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 away. But if you knock it out on the pipe, it
2 would be down on the ground. I didn't see any
3 evidence of that at all. And it's barium sulfate,
4 radium barium sulfate, and it's extremely
5 insoluble. So even if it's lying on the ground,
6 it's not going to dissolve and go down into the
7 groundwater.

8 Q. Has ERM estimated the cost of removing
9 the pieces of pipe?

10 A. Yes. And I think I need to go into that
11 business. The estimate they got from their NORM
12 remediation folks, for two pieces of pipe -- there
13 may be more there because they've got to survey
14 it -- was \$18,000. Once again, that was pretty
15 high. And you've seen these types of things
16 before. But they have to go through all the
17 regulatory requirements, they've got to do the
18 appropriate removal, taping up the end of the
19 pipes, and then after it's gone, they've got to
20 survey all the other pipe that's there and any
21 other equipment they could remove, and then they
22 have to survey the ground, every place it was, to
23 see if anything fell out.

24 So yeah, I understand there's extra
25 things they've got to do and they've got to

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 document all of this. And in fact, they'll have
2 to pull some soil samples at the time they do this
3 as part of their release survey.

4 Q. Now, you mentioned before that you had
5 surveyed soil at the site. Do you understand that
6 ICON had also surveyed soil at the site?

7 A. Yes. And I had a copy of Derek's --
8 Mr. Pourciau's notes. And then I had a copy of
9 the person who accompanied those -- the
10 accompanied notes are in here. I actually made
11 more notes than this little paragraph here. It's
12 in my report. There's a few pages of notes, but
13 yes, these are from my notes.

14 Q. And how did you decide which locations
15 to survey on the Henning property for soil?

16 A. I started with the locations where the
17 pipe was. Or I looked to make sure I was there.
18 But I also surveyed any place I walked, any place
19 I walked to see if there's any readings above
20 background. I didn't find any above background.
21 I found some 6 over gravel and about 10s -- 10 to
22 12 over the dirt around there, and that's all
23 background range for Louisiana, in fact.

24 And so this was -- and I went by --
25 fortunately, by four wheelers, we rode out to some

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 of these monitoring wells and while we were
2 riding, I had the probe, the detector, suspended
3 over the road or over the area there, and it
4 didn't get any elevated readings.

5 But when we get to the monitoring wells,
6 I would walk to them, make measurements all around
7 that, and I even walked around this blowout pond.
8 I'd never seen anything like that before. But
9 yeah, I walked around that, and no readings above
10 background there either.

11 Q. Did you find any elevated measurements
12 from surveying the soil at any location on the
13 Henning property?

14 A. Not from soil, no. Not at all.

15 Q. Did anyone take samples of the soil for
16 laboratory testing of radionuclides?

17 A. No. No reason. If you don't have any
18 elevated gamma readings, you don't need to take
19 any soil samples, and neither did ICON collect any
20 soil samples for RAD analysis.

21 Q. Now let's talk about groundwater. For
22 that purpose, we'll go to the next slide.

23 Did ICON take groundwater samples to
24 test for radium?

25 A. Yes. They actually collected from 28

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 wells and one of the samples didn't make it to the
2 lab or didn't get results from the lab anyway, so
3 out of the 28, they got 27 groundwater samples
4 from ICON. And then there were split samples of
5 those 28. ERM didn't lose their one sample there,
6 but they had 28 samples, but since they shipped it
7 to -- ERM shipped theirs to Eberline. ICON
8 shipped theirs to Pace lab. Pace lab is just west
9 of Pittsburgh, Pennsylvania. And both of these
10 are good labs. I've used both of them on
11 different times. Eberline, though, does a batch
12 split, a batch duplicate with each batch, and they
13 had four batches. So you've got 28 plus 4 is the
14 32. So we had 59 analyses performed for
15 radium-226 and radium-228.

16 Q. And in fact, after ICON had sent
17 groundwater samples from a number of locations to
18 Pace and split with Eberline, were there also some
19 pulled from the ERM monitoring wells that were
20 also split in the same way?

21 A. Yeah. That's included in the total
22 number. The total number there is both the
23 original ICON samples and splits and then the
24 Eberline -- I mean the ERM's samples and splits
25 for them.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. And did you review sample results from
2 both Pace and Eberline?

3 A. Yes. And I included those in two tables
4 in my report and looked at those. And I'm sort of
5 a data geek. I like to look at numbers. And so I
6 included those and evaluated what they mean.

7 Q. In those tables in your report, there's
8 references there to radium-226, measurements of
9 radium-226, and measurements of radium-228. Why
10 are those the two measurements that we're looking
11 at?

12 A. I assume you're looking at page 8 of my
13 report.

14 Q. We have paper copies if you'd like,
15 because, actually, I don't have a slide with the
16 table itself.

17 A. Yeah. That would be good if you had it.
18 That way, you can see the numbers.

19 It's on page 8. That's the first group
20 of samples. These are the ones ICON collected.
21 And with the splits for ERM. And then page 9 has
22 the monitoring wells in there.

23 Q. So you have described the tables that
24 you have in your report that are on pages 8 and 9?

25 A. Yes.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. And those tables list radium-226 and
2 radium-228 measurements?

3 A. Yes. They list the result. And the
4 standard of uncertainty there is 2-sigma
5 calculated standard of uncertainty for each of the
6 measurements, both of radium-226 and 228.

7 What I didn't list on this table but
8 I've looked at since then was the minimal
9 detectable concentration, what the lab says is
10 minimum detectable concentration. I looked at
11 that later. But I didn't put it on there.
12 That -- details of information are in the lab
13 reports themselves.

14 Q. When you look at the minimum --

15 JUDGE PERRAULT: Let me stop you there for a
16 second. I just want to make it clear on the
17 record. This page 8 and 9, what exhibit is
18 this?

19 MR. CARTER: This is from Exhibit 3, Chevron
20 Exhibit 3.

21 JUDGE PERRAULT: All right. Please proceed.

22 BY MR. CARTER:

23 Q. So you mentioned observing the minimum
24 detectable concentration for each sample and the
25 CSU, which is the standard uncertainty for each

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 sample. When you looked at those, what
2 observation did you have about the results that
3 are shown on pages 8 and 9 from the Pace and
4 Eberline lab data?

5 A. Well, there's two qualifiers that are
6 put on radiological data, the EPA qualifiers.
7 One, if the result is less than the minimum
8 detectable concentration from the lab, that's
9 considered a nondetect. If the result is less
10 than the sum of the minimum detectable
11 concentration and the standard of uncertainty, if
12 it's less than that, it's qualified as a J, which
13 means it's detected but not very reliably. Okay?

14 And so I looked at that for all of these
15 59 samples that we have here to see what those
16 were, whether they were qualified or not.

17 Q. Okay. And if we look at the slide that
18 is on the screen, the fourth bullet point down, it
19 says 84 percent of the analyses were nondetects or
20 J-qualified, detected but unreliable. Is that the
21 analysis that you prepared?

22 A. Yes. Using the EPA's method for
23 defining the nondetects and the J-qualified. What
24 it means is these were just real low
25 concentrations for that 84 percent.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. The next bullet point says that from
2 Pace, there were three samples, H-9, H-12 and
3 H-16, that exceeded the MCL for drinking water at
4 the tap for community water systems. Can you see
5 that?

6 A. Yes, you can see that on page 8. If you
7 look on page 8, if you look at H-9 for Pace, you
8 see a 5.20. And if you look at H-12, for Pace,
9 which is 20.7 for radium-226, and then if you look
10 at H-16 which has .837 for radium-226 but it's
11 4.55 for radium-228 and the MCL is the sum of the
12 two results -- or the sum of the two
13 concentrations, radium-226 plus 228.

14 And so if we look at that, we see that
15 we've got these three wells, 9 -- get the right
16 one here. Nine, 12, and 16 that have
17 concentrations greater than the 5 picocuries per
18 liter. That's the MCL from US EPA for the
19 combined radium-226 and 228.

20 Q. How do the Eberline results for those
21 three samples compare to the Pace results for
22 those three samples?

23 A. Well, they didn't show it, but I relied
24 on the Pace results because if you got that much
25 solids in it, you see Eberline, for H-9, had

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 38,386. You see, for H-9, the TDS there? Got
2 38,386 milligrams per liter. That's a lot of
3 solids. That's 38 grams per liter, okay? And so
4 with that many grams per liter, they should have
5 gotten a higher number, like Pace got. So I
6 relied on Pace results for that. I even, in my
7 deposition, back in August I guess it was,
8 Mr. Wimberley deposed me. That's what I said: I
9 relied on the Pace results.

10 Q. Does the measurement above the MCL, the
11 5 picocuries per liter in the Pace results for
12 these three wells, indicate a potential for health
13 effects from the groundwater at the site?

14 A. Well, they are greater than the MCL, and
15 if that's -- that is for a -- MCLs are defined for
16 community water systems, as you know, for
17 community water systems. That's in the Safe
18 Drinking Water Act. And it's also defined for at
19 the tap. So by the time you get to a tap in a
20 community water system, there's some treatment
21 that usually goes on. And usually the treatment
22 is to remove solids. And if you remove the
23 solids, you remove the radium. That's the way it
24 is; the radium is in the dissolved solids. But
25 does it present a risk here if someone -- or a

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 dose above background? In terms of calculating
2 it, it would present one. But you've got to have
3 someone drinking that water and you've got to have
4 someone over periods of time drinking it.

5 But my experience with radium
6 ingestion -- and not just my experience, the
7 published data for radium ingestion says that,
8 really, you're going to ingest hundreds of times
9 more than the MCL for radium throughout your life
10 before you can have an ingested radium that would
11 cause health effects. Now, that's based upon the
12 radium doll painters and based upon the other
13 radium workers.

14 So the MCL for radium is 5 picocuries
15 per liter. It's a very low number. And there's
16 actually a lot of community water systems in the
17 country that have radium higher than the MCL.
18 They don't shut them down. They just measure it,
19 say it's higher and then they continue using it.
20 It's not a cut-off where you have a health effect
21 above it or where you don't.

22 Q. Are there any Louisiana regulations
23 governing oil field NORM in groundwater?

24 A. No.

25 Q. There is a figure in ICON's paper

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 showing a background radium level for groundwater
2 on the Henning property of 0.86 picocuries per
3 liter?

4 A. Do you have that one?

5 Q. Is there a basis in the data for
6 calculating the background level of radium on this
7 property?

8 A. Well, ICON claimed to calculate the
9 background by having five background wells and
10 they looked at the radium-226 and the radium-228
11 in those five background wells. Those results are
12 listed on table 1 on page 8. They're listed
13 there. I forget the numbers there now. It's -- I
14 think it's H-3, H-32 A, 32 B, 33, and 34.

15 But if you look at those results,
16 they're all nondetects. If you look at the -- I
17 didn't put it on this table. But if you look at
18 all the minimum detectable concentrations, they
19 were less than that. So they were all nondetects.

20 And so when you try to calculate an
21 average background or a background concentration
22 like this .86, you would need to have data that
23 you could rely on to do that. And all these
24 numbers are nondetects and you can't really do the
25 mathematics on that type of thing.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 So I don't know the basis for that .86.
2 I know what they claim it is, but the data upon
3 which they base it is not -- those are nondetects.

4 Q. Has there been any testing of
5 radionuclides in surface water on the Henning
6 property?

7 A. Yes. You heard earlier about the two
8 samples. One was 2 feet down at the blowout pond.
9 The other was 13 feet down. And those samples
10 were collected and analyzed. They're actually on
11 the bottom of the table on page 9.

12 Q. We also see the results on the slide
13 that is being shown as well.

14 A. Yeah. And all four of those results
15 were -- the radium-226 and radium-228 were
16 nondetects.

17 Q. What is your opinion about the surface
18 water sample results?

19 A. Regarding radium, it's clean water.

20 Q. Did you assess the overall potential for
21 health effects from radionuclides presented by the
22 Henning property?

23 A. Yes.

24 Q. In looking at this slide, as the final
25 slide in your presentation, what did you conclude?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. I just -- there's no reasonable
2 potential for anyone on or near the property to
3 receive a radiation dose for oil field NORM on the
4 property greater than the range of natural
5 background radiation doses in Louisiana. You just
6 don't have a source that's going to give you
7 that -- any radiation dose above the range of
8 natural background.

9 Now, do you receive a radiation dose?
10 Sure. From natural background, just like we're
11 receiving it in this room. But being out on this
12 site, would you get a radiation dose greater than
13 the range of background in Louisiana? No. No
14 scenario about what you can get there.

15 MR. CARTER: Thank you, Dr. Frazier. Pass
16 the witness.

17 JUDGE PERRAULT: Do you want to do your cross
18 now or after lunch? It's up to you.

19 MR. KEATING: I might be more efficient if I
20 did it after lunch. I can streamline my
21 outline based on the...

22 JUDGE PERRAULT: Okay. We'll take a lunch
23 break. It's now 12:05, so we'll come back at
24 1:05.

25 (Lunch recess taken at 12:05 p.m. Back on

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 record at 1:06 p.m.)

2 JUDGE PERRAULT: We're back on the record.
3 Today's date is February 6th. It's now 1:06.
4 I'm Charles Perrault. We took a break for
5 lunch, and now we're going to begin again
6 with Dr. Frazier.

7 MR. GREGOIRE: Just as a matter of
8 housekeeping, Judge Perrault. Victor
9 Gregoire again. We want to file and offer
10 Exhibit 18, Chevron Exhibit 18, which is
11 drone footage that Mr. Purdom referred to
12 earlier in his testimony. I spoke with
13 Mr. Keating and Mr. Wimberley and they do not
14 object to that submission.

15 JUDGE PERRAULT: If there's no objection,
16 then Exhibit 18, the drone footage, will be
17 admitted.

18 MR. KEATING: No objection, Your Honor. May
19 I proceed, Your Honor?

20 JUDGE PERRAULT: So we're doing cross?

21 MR. KEATING: Yes, Your Honor.

22 JUDGE PERRAULT: Please proceed.

23 CROSS-EXAMINATION

24 BY MR. KEATING:

25 Q. Dr. Frazier, how are you doing?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. I'm pretty good. How are you doing?

2 Q. Pretty good. Did you get a good lunch?

3 A. It was okay.

4 Q. You should have come with us.

5 Dr. Frazier, you did not author any of
6 the texts of Chevron's proposed most feasible
7 plan; correct?

8 A. Not to my knowledge.

9 Q. Okay.

10 Your contribution to the MFP proposed by
11 Chevron is to the extent to your which your
12 report, which is attached to the MFP as Exhibit --
13 appendix R -- excuse me -- is incorporated into
14 the overall report. Is that true?

15 A. That is my understanding, yes.

16 Q. You agree that produced water can
17 contain radium-226 and radium-228; correct?

18 A. They can.

19 Q. And you agree that when oil and gas
20 exploration and production activity occurs and
21 production is being drawn from an underground
22 geological formation that contains radium-226 and
23 228, that radium can and often does come to the
24 surface with the produced water; true?

25 A. Yes. And the amounts vary

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 significantly.

2 Q. And it's also your opinion that
3 radium-226 and 228 can occur naturally in the
4 groundwater in Louisiana without any produced
5 water being introduced; correct?

6 A. I'd say, rather than say "can," it does.
7 It's always -- if you've got solids in water,
8 you've got radium in water.

9 Q. Fair enough.

10 When you have radium at an oil field
11 site like this one, though, and it does come from
12 the produced water, there are a few different
13 places we might find it and you talked a little
14 bit about this earlier. One place is as scale or
15 sludge in pipe or production equipment; right?

16 A. That's correct, yes.

17 Q. And you talked about a few pieces of
18 pipe that were located on the property. Do you
19 recall that?

20 A. Yes.

21 Q. Another place we can find that radium
22 can be in the soil or sediment; true?

23 A. You can.

24 Q. And --

25 A. You mean oil field NORM, yes, you can.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. And in this case, that's not an issue;
2 right?

3 A. That's correct, it's not an issue that I
4 could find anywhere on the site.

5 Q. So finally, we come to the one that
6 we're going to talk about the most, and that is
7 radium that can be found in the groundwater;
8 correct?

9 A. Yes.

10 Q. So to answer the question -- or let me
11 back up.

12 Part of your charge in this case,
13 Dr. Frazier, was it not, was to determine if the
14 radium detected in the groundwater at certain of
15 the sample locations on the Henning property is
16 naturally occurring in the groundwater or is the
17 result of produced water being introduced;
18 correct?

19 A. Yes.

20 Q. Okay.

21 And to answer that question, one of the
22 things you have to look at -- I believe you
23 testified to this earlier -- is the groundwater
24 samples and specifically the concentrations of
25 radium-226, radium-228 and total dissolved solids

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 in those groundwater samples; true?

2 A. That's correct, yes.

3 Q. Let's look at those sampling results in
4 your report that we talked about earlier with
5 Mr. Carter.

6 Can you pull up Dr. Frazier's report,
7 page 8, table 1, please?

8 JUDGE PERRAULT: That's Exhibit 3; correct?

9 MR. KEATING: Yes; correct.

10 A. This is on page 8 of the handout.

11 BY MR. KEATING:

12 Q. Yes.

13 So Dr. Frazier, not to rehash, but
14 generally speaking, table 1 on page 8, what that
15 does is summarized the samples taken by ICON in
16 March of 2020 and August of 2021 with splits taken
17 by ERM; correct?

18 A. Yes. Within that date range, yes.

19 Q. Right. And then on page 9 of your
20 report, table 2, contains a similar summary but
21 these are from the samples collected at the behest
22 of ERM with splits taken by ICON later in 2021;
23 correct?

24 A. Yes.

25 Q. And within each of those tables, we

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 basically see the same thing, which is the sample
2 ID -- I pressed the wrong button. There we go.

3 Sample ID here, which corresponds to
4 those locations we looked at on the maps earlier;
5 right?

6 A. Yes.

7 Q. And then you have radium-226,
8 radium-228, and then total dissolved solids here;
9 correct?

10 A. Yes.

11 Q. And same for the Pace results; right?

12 A. Yes.

13 Q. And you've got your result listed for
14 each one?

15 I'm not very good at this.

16 And then your -- I'm going to call it
17 cone of uncertainty like they do for the
18 hurricanes here.

19 A. Calculated standard of uncertainty.

20 Q. There you go.

21 And we see the same thing across both
22 the Eberline and Pace results; right?

23 A. Yes.

24 Q. And without looking at it, table 2
25 essentially shows you the same thing; right?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. Same column headings, yes.

2 Q. Same column headings and rows --

3 A. And information, yeah.

4 Q. Other than the sample ID locations?

5 A. Yes.

6 Q. All right.

7 The radium samples that we see both for
8 Eberline and Pace, those are measured in
9 picocuries per liter; correct?

10 A. That is correct, yes.

11 Q. And then the total dissolved solid
12 sample results are measured in milligrams per
13 liter; right?

14 A. Yes. As shown on the table there.

15 Q. Yes, sir.

16 Now, TDS, or total dissolved solids, is
17 made up of, among other things, chlorides; right?

18 A. Yes. And as you get to higher
19 concentrations of TDS, the chlorides are somewhere
20 between 50 and 60 percent of the TDS.

21 Q. So chlorides are a big driver of TDS
22 when you see it in groundwater like this; right?

23 A. Yes. Especially as you get into higher
24 concentrations of TDS.

25 Q. You talked about earlier about how the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 ICON samples were sent to the Pace lab and the ERM
2 samples were sent to the Eberline lab; true?

3 A. Yes, that's correct.

4 Q. And you acknowledge that you think
5 they're both good labs and you think they're both
6 reliable in the way they measured the samples;
7 correct?

8 A. Yes, absolutely. Good labs.

9 Q. I'm sorry. And in fact, you testified
10 that you actually relied on the Pace lab results
11 in your analysis in this case; true?

12 A. Yes. Especially for these three samples
13 with very large amounts of solids.

14 Q. Okay.

15 Can we pull up ICON's MFP, table 3?

16 Which exhibit number is that? E-31.

17 Why don't you zoom in, please, on the
18 total solids and chlorides. That's good enough
19 for now. Okay. Thank you.

20 This is ICON's groundwater summary data
21 table, which includes, among others -- and I'll
22 zoom in before I ask you a question. I see you
23 squinting over there.

24 A. Thank you.

25 Q. I'm doing the same thing.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 These sample ID locations, if you look
2 at "boring ID" over here -- we'll zoom on that
3 real quick -- some but not all of these correspond
4 to the boring IDs we see in table 1 of your
5 report; correct?

6 A. To the best of my knowledge, that's
7 correct.

8 Q. Okay. So we're talking about the same
9 locations where the samples are referenced in
10 table 1 of your report; true?

11 A. Yes. This gives the depth and also the
12 date of collection.

13 Q. Okay.

14 Now, I want to call your attention
15 specifically to H-9 through H-12 on table 3 of
16 ICON's plan. And if we could scroll over to total
17 dissolved solids and chlorides, please, which is
18 about halfway.

19 All right.

20 So that's going to be -- yeah. It's
21 going to be the one you're on right now.

22 A. Yes.

23 Q. It's going to be here (indicating).

24 A. There's 32,700 and 3,320, and 63,600.

25 Q. And then we've got H-12 here, which is

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 24,900 total dissolved solids, 11,900 chlorides;
2 right?

3 A. No. The 24,900 is H-16.

4 Q. H-16; correct. I'm sorry.

5 A. And you can see these same numbers on
6 page 8 of my report, table 1.

7 Q. So you agree that the total dissolved
8 solids in H-9 were found to be 32,700 milligrams
9 per liter, as shown on table 1 of your report and
10 table 3 of ICON's MFP?

11 A. That is correct, yes.

12 Q. And then if we look, you'll understand
13 why I have this pulled up now. The corresponding
14 chlorides at H-3 are 22,300 milligrams per liter;
15 correct?

16 A. No. H-9.

17 Q. I'm sorry. I hashed the wrong one on my
18 page here. Yes, H-9; correct?

19 A. Yes.

20 Q. And so at H-9, we see that the chlorides
21 make up the majority of the total dissolved solids
22 we see; right?

23 A. More than half; that's correct.
24 Probably close to 60 percent.

25 Q. And that tracks with what you were

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 saying earlier; correct?

2 A. Yes.

3 Q. Especially when you get in these higher
4 concentrations, the concentration of total
5 dissolved solids is driven in large part by
6 chlorides?

7 A. Yes. The fraction -- as you get to high
8 TDS, fraction is pretty close to the same.

9 Q. Now, looking at H-12, we see -- and I'll
10 refer you to table 1 of your report first -- total
11 dissolved solids are 63,600; correct?

12 A. Yes, that's correct.

13 Q. And then if you look at ICON's table
14 here, you see the corresponding chlorides for H-12
15 to be 39,200 milligrams per liter; right?

16 A. That's correct.

17 Q. So that tracks with what we just looked
18 at for H-9 as well; right?

19 A. Yes.

20 Q. Okay.

21 Now, by comparison, Dr. Frazier, you
22 agree with me that seawater from the Gulf of
23 Mexico roughly has a chloride concentration of, on
24 average, of about 19,000 milligrams per liter?

25 A. That's not -- I don't know. That's not

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 my area of expertise.

2 Q. Okay. So assuming that would be
3 correct, both H-9 and H-12 has higher salinity
4 than Gulf of Mexico seawater; right?

5 A. If you make that assumption. I can't
6 verify that assumption. That's not my area.

7 Q. Who --

8 A. These numbers are higher than 19,000,
9 yes.

10 Q. Who would you ask about that among your
11 group of experts?

12 A. I don't know.

13 Q. Okay.

14 Who should I ask?

15 A. I don't know.

16 Q. Fair enough.

17 Now, going back to table 1 of your
18 report, let's look at the combined radium-226 and
19 228 findings at H-9 and H-12. You would agree
20 with me, Dr. Frazier, those are the highest
21 combined radium concentrations that we've found in
22 these groundwater samples; true?

23 A. Yes, absolutely.

24 Q. And these are also where we found the
25 highest chlorides and total dissolved solids in

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 all these groundwater samples by a long-shot;
2 correct?

3 A. As based on the chloride levels from the
4 ICON table, yes.

5 Q. And you don't have any reason to dispute
6 the chloride concentrations?

7 A. No. That's not my area of expertise,
8 but that's usually what I see.

9 Q. You usually see that proportion of
10 chlorides in TDS at that range?

11 A. Yes. As you get to higher
12 concentrations of TDS, that's what you generally
13 see.

14 Q. Again, where we see the highest TDS in
15 chlorides by far, we also see the highest combined
16 radium concentrations by far; true?

17 A. Yes.

18 Q. From your earlier testimony, you recall
19 identifying that the H-9 and H-12 groundwater
20 samples were taken near what we've referred to as
21 the blowout pond?

22 A. I don't think I testified to that.

23 Q. Okay.

24 MR. KEATING: Can you pull up figure 6 from
25 ICON's MFP, please? Zoom in on the Area 2 on

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 the west side, please.

2 JUDGE PERRAULT: What exhibit is this from?

3 MR. KEATING: This is still Exhibit E.

4 BY MR. KEATING:

5 Q. Assuming this is diagrammed correctly,
6 you see where the H-12 and H-9 locations are
7 marked here?

8 A. I see H-12.

9 Q. H-9 right underneath it?

10 A. It doesn't have an arrow.

11 Q. I think it's just kind of blotted out.

12 A. Okay. That's what it appears like, yes.
13 Just to the northwest or southwest of the blowout
14 pond.

15 Q. And these are -- these locations,
16 assuming H-9 is, in fact, in here along with H-12,
17 which you can see, these are within Chevron's
18 Limited Admission Area 2; correct?

19 A. Yes, they are.

20 Q. So these samples were taken within the
21 boundaries of where Chevron has admitted; correct?

22 A. That's my understanding. I'm not...
23 That's not my understanding of the total thing.
24 Mine's just the radiological aspects. But yes,
25 that's correct.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. Looking back to table 1 of your report,
2 page 8, going back to H-9 and H-12 that we've
3 looked at previously, you agree with me,
4 Dr. Frazier, that the fact that we see these
5 increased concentrations of combined radium, by
6 far compared to the other sample locations, where
7 we also see these increased concentrations of
8 total dissolved solids and chlorides, by far
9 compared to the other sample locations, suggestive
10 of radium from aged produced water and not
11 naturally occurring; correct?

12 A. No. No. It's not. And the reason is,
13 you look at the radium-226 concentration and the
14 radium-228 concentration. Radium-228 halflife is
15 5.75 years. Okay? The radium-228's
16 concentrations here are greater than radium-226.
17 And once the produced water comes up from the
18 ground, it's -- the radium-226 is no longer with
19 the uranium parent, 238 parent, and radium-228 is
20 no longer with their thorium 232 parent, and so
21 the radium -- both of those radium isotopes follow
22 their decay. Radium-226 halflife is 1600 years.
23 Radium-228 is 5.75 years. So if it's aged
24 produced water, the radium-228 concentration
25 decreases relative to the radium-226. We don't

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 see that here. We see concentrations
2 approximately one to one, roughly, and that's what
3 you would get with normal solids in Louisiana
4 water unrelated to oil production.

5 Q. Dr. Frazier, I understand your analysis
6 regarding the 226-228 ratio based on their
7 differing half lives and separation from their
8 parent. Notwithstanding that perfect-world
9 scenario, the bottom line is, the total dissolved
10 solids and the chlorides you see at H-9 and H-12,
11 those aren't naturally occurring levels?

12 A. I don't know where those came from, but
13 I do know that those are higher than you'd
14 normally find, often find in the site, the solid,
15 the TDS and the chlorides. I'm not a chlorides
16 specialist, but those are high concentrations of
17 TDS. But the ratios here of the 226 and 228 do
18 not show at all aged produce water.

19 Q. Dr. Frazier, you've stated that already,
20 and I understand your point.

21 But you can't explain, then, why the
22 radium concentrations, combined 226, 228, are the
23 highest by a long-shot at these same locations
24 where we see these extremely elevated chlorides
25 and TDS sample concentrations that you just said

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 you can't explain where they came from; true?

2 A. No, I didn't say I couldn't explain
3 where it came from. I said it's not aged produced
4 water.

5 The theory is if you have high
6 chlorides, the theory is -- and it's why you have
7 radium in water with high chlorides. The high
8 chlorides bring the natural radium into solution
9 in the -- from the surrounding areas.

10 Q. And that's true when you have
11 chloride-impacted soil, is it not?

12 A. That's correct. At real high
13 concentrations of chlorides, you have the radium
14 coming into the solution with the water. But as
15 soon as the chloride levels drop or as soon as the
16 TDS drops, the radium is adsorbed on the
17 surrounding soils. So as you go from a site where
18 you have high chlorides to where you have lower
19 chlorides, the radium is no longer in solution but
20 goes on to the surrounding -- by adsorption onto
21 surrounding materials. And that's documented on
22 national and international publications that I've
23 cited in my report.

24 Q. Dr. Frazier, you have to acknowledge
25 that you do not consider and you completely ignore

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 the likelihood that these high TDS concentrations
2 in the groundwater and high chloride
3 concentrations in the groundwater were caused by
4 the introduction of produced water, whether we're
5 talking about bottom-up or top-down?

6 A. The more -- I can't answer that yes or
7 no. But I'll say the more solids you have in the
8 water, any water, the more radium you're going to
9 have in that water. The higher the TDS, the
10 higher the radium is going to be.

11 Q. And when Mr. Wimberley took your
12 deposition, you candidly acknowledged that you
13 cannot rule out the possibility, if not the
14 likelihood, that the increased concentrations of
15 TDS in chlorides we're seeing here and the
16 corresponding increased radium is not resultant
17 from chloride-impacted soil as a result of the oil
18 and gas operations by Chevron and Gulf on this
19 property?

20 A. Yes. I testified yes on the -- at the
21 deposition, and I've testified in court to that
22 same thing.

23 Q. So if it came from oil field operations,
24 it came from oil field operations; right?

25 A. If it did. But I don't know where the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 high TDS came from here. But I'm looking at the
2 radiological perspective of it. And certainly the
3 theory is that if you have higher chlorides,
4 you're going to have more radium in the water.
5 Higher TDS, you're going to have more radium in
6 the water. That's why you start off with
7 higher -- that's why you start off with radium-226
8 and 228 in your produced water anyway, anyway down
9 the formation.

10 But when it comes up, the radiums are no
11 longer with their parents and so they're following
12 their respective decays. So if you look at
13 concentrations of 226 and 228 -- and if 228 is
14 equal or higher than the radium-226, it's no old
15 produced water. It could be from the stuff around
16 it, but it's not from old produced water.

17 Q. Dr. Frazier, that point notwithstanding,
18 I just want to be sure the panel understands.

19 That does not change your answer to the
20 previous question, that you cannot rule out and,
21 in fact, you agree it's likely that these
22 increased TDS in chlorides and corresponding
23 increased radium we see at these locations is the
24 result of chloride-impacted soils from the oil and
25 gas operations?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. I can't rule it out, but I don't know
2 where the high TDS and high chlorides come from.
3 There's sort of a pocket of it there. As you go
4 away from that pocket --

5 Q. Where the blowout well is located?

6 A. Can I finish my answer?

7 As you go away from that pocket, the TDS
8 drops off significantly and the chlorides drop off
9 significantly and the radium drops off
10 significantly.

11 Q. Dr. Frazier, sticking with table 1 of
12 your report -- I think you stated this earlier,
13 but I went and checked. And the background sample
14 locations used by ICON to determine what ICON
15 deemed to be background for radium in the
16 groundwater in this case were H-3, 32 A, 32 B, 33,
17 and 34; correct?

18 A. That's what I testified earlier today,
19 yes, those same five locations.

20 Q. And you agree that, looking at table 1,
21 the lowest TDS concentrations of all samples in
22 table 1 are at those exact locations?

23 A. I hadn't done that yet, but I'll look
24 right now.

25 Q. Sure.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. (Reviews document.)

2 It sure looks like that way, yes. And
3 hence, if you have low TDS, you have low radium.

4 Q. And you -- I'm sorry. I thought you
5 were finished.

6 A. And indeed, the radiums on these five
7 samples, both 226 and 228, were nondetects.

8 Q. So it logically follows, Dr. Frazier,
9 does it not, that where you have locations with
10 the lowest TDS and the lowest chlorides, which is
11 what we see at these background locations, are
12 appropriate locations for determining background
13 for radium as well; true?

14 A. No. Not necessarily. It's like trying
15 to determine where's the background for TDS.
16 You've got low numbers for TDS, but you've got
17 other numbers that are a lot higher that are not
18 impacted -- no radium increases. There's a
19 tremendous variation of TDS in groundwater that
20 you find out there. And like -- trying to find
21 the background for radium is like trying to find a
22 background for TDS. They've chosen five wells
23 that have low TDS in it, but -- and they've tried
24 to calculate for radium concentration in that
25 background, or those wells that they call

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 background. But it doesn't necessarily follow.
2 You've got such a variation of it there.

3 Q. Dr. Frazier, you made no attempt to
4 determine what you thought background for radium
5 might be for groundwater on this property; true?

6 A. No. Because the more TDS you have, the
7 higher the radium you have.

8 Q. Dr. Frazier, neither 29-B nor RECAP
9 directly address the thresholds for radium-226 and
10 228; correct?

11 A. Neither 29-B or RECAP, they don't
12 address radionuclides, total.

13 Q. Right.

14 And you agree it's LDEQ's radiation
15 protection section that governs those thresholds
16 in groundwater in Louisiana; right?

17 A. I don't know what you mean by
18 thresholds.

19 Q. Maximum acceptable level.

20 A. I'm not familiar with maximum acceptable
21 level.

22 Q. You're not aware of LDEQ's regulations
23 saying that 5.0 picocuries per liter as the
24 threshold for groundwater medium --

25 A. No. No.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. If that were, in fact, the case, you
2 agree that, for every combined radium we have on
3 this property, 226 plus 228, concentration that's
4 above 5.0 picocuries per liter, that would be a
5 violation of regulations?

6 A. That's -- there's no regulations I've
7 ever seen for radium in groundwater from oil field
8 production, none.

9 Q. Fair enough.

10 MR. KEATING: No further questions.

11 MR. CARTER: No redirect.

12 JUDGE PERRAULT: Does the panel have any
13 questions?

14 PANELIST OLIVIER: No questions from the
15 panel.

16 JUDGE PERRAULT: Thank you very much.

17 THE WITNESS: Thank y'all.

18 JUDGE PERRAULT: We have some exhibits
19 outstanding. We have Exhibit 3. Are y'all
20 admitting that chart?

21 MR. CARTER: Yes, we move for the admission
22 of Chevron Exhibit 3.

23 JUDGE PERRAULT: Any objection?

24 MR. KEATING: No objection.

25 JUDGE PERRAULT: No objection. So ordered

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Exhibit 3.

2 JUDGE PERRAULT: Exhibit 31, is that your
3 exhibit that they offered?

4 MR. CARTER: That was, I think, you guy's...

5 MR. KEATING: If it's a number, I think it's
6 y'all.

7 MR. GROSSMAN: E-31.

8 JUDGE PERRAULT: Oh, it was E?

9 MR. KEATING: Yes. So...

10 JUDGE PERRAULT: E-31, so we're holding off
11 on that?

12 MR. KEATING: Any objection?

13 JUDGE PERRAULT: And then y'all talked about
14 Exhibit E as well?

15 MR. KEATING: It's a figure and table from
16 ICON's feasible plan.

17 MR. CARTER: No objection.

18 JUDGE PERRAULT: So Exhibit 31 is admitted?

19 MR. KEATING: E-31.

20 JUDGE PERRAULT: And you talked about Exhibit
21 E as well. Are you offering that?

22 MR. KEATING: I'll just go ahead and offer
23 Exhibit E.

24 JUDGE PERRAULT: Any objection to Exhibit E?

25 MR. CARTER: No objection, Your Honor.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 JUDGE PERRAULT: No objection. So ordered.
2 So Exhibit E is admitted.

3 Is E-31 part of E?

4 MR. KEATING: It is, Your Honor.

5 JUDGE PERRAULT: Okay. All right.
6 Call your next witness.

7 MR. GROSSMAN: Your Honor, Chevron calls
8 Dr. John Kind.

9 JUDGE PERRAULT: All right, Doctor. Please
10 state your name for the record.

11 THE WITNESS: John Kind.

12 JUDGE PERRAULT: Spell you last name for the
13 record.

14 THE WITNESS: K-I-N-D.

15 DR. JOHN KIND,
16 having been first duly sworn, was examined and
17 testified as follows:

18 DIRECT EXAMINATION

19 BY MR. GROSSMAN:

20 Q. Dr. Kind, how are you currently
21 employed?

22 A. I work for a company called the Center
23 for Toxicology and Environmental Health. We're a
24 consulting firm located in Little Rock, Arkansas.

25 Q. What's your position there?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 JUDGE PERRAULT: Counsel, please state your
2 name for the record.

3 MR. GROSSMAN: Louis Grossman for Chevron.

4 A. So I'm a principal toxicologist and
5 certified industrial hygienist at CTEH.

6 BY MR. GROSSMAN:

7 Q. Could you please tell the panel what a
8 toxicologist does?

9 A. Sure. We study the adverse effects of
10 chemicals and other agents on biological systems.
11 In this case, I'm here to talk about human
12 toxicology.

13 Q. Are you also a risk assessor?

14 A. Yes.

15 Q. What kind of risk assessments do you
16 perform?

17 A. Primarily human health risk assessments.

18 Q. And how long have you been doing that?

19 A. Pretty much my whole professional career
20 of 22 years.

21 Q. Tell the panel a little bit about your
22 education. Do you mind giving us that background?

23 A. Sure. So I got an undergraduate degree
24 in biochemistry with an emphasis in toxicology
25 from Murray State University in 1993 and a PH.D.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 in toxicology from the University of Georgia in
2 2000.

3 Q. So you've been working as a toxicologist
4 for 22 years now?

5 A. That's correct.

6 Q. And what did you do at CTEH?

7 A. So at CTEH, I was the senior vice
8 president of health sciences, which I stepped down
9 from that role a couple years ago, so I do a lot
10 less administrative work and more science now.

11 But one of the main things that I do and
12 our department does is we serve as leaders of
13 emergency response teams in the field. So I don't
14 know if you guys have seen the headlines about the
15 train derailment in Ohio that happened a couple
16 days ago. We have a team up there. So both
17 Dr. Wnek and I have been helping them kind of from
18 the background.

19 So through that work, I've done a lot of
20 different types of responses to releases all over
21 North America. I've also worked on a lot of these
22 types of oil field matters as well.

23 And then I do industrial hygiene
24 projects and other human health risk assessment
25 projects as well.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. And you touched on this, but you've got
2 experience working with the types of constituents
3 that we see at the Henning property; correct?

4 A. Yes. Through these types of matters and
5 also from petroleum releases. We've had responses
6 all over the country.

7 Q. And you specifically performed risk
8 assessments related to these compounds,
9 constituents?

10 A. Yes.

11 Q. In addition to your professional work,
12 are you a member of any professional
13 organizations?

14 A. Yes.

15 Q. Can you tell the panel what those are?

16 A. Sure. I'm a member of a couple of
17 toxicology organizations. One would be the
18 Society of Toxicology which is really the biggest
19 international organization related to human health
20 toxicology. Also a member of The Toxicology
21 Forum. Been a member of a number of industrial
22 hygiene organizations. The American Industrial
23 Hygiene Association is kind of biggest
24 international industrial hygiene group. I'm a
25 member of the oil and gas working group or

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 committee for that group.

2 There's also the ACGIH, which is the
3 American Conference of Governmental Industrial
4 Hygienists. I'm a member of that organization.
5 And as part of that, I also sit on the emergency
6 response planning guideline committee. So we
7 derive emergency exposure guidelines for HAZMAT
8 incidents and things of that nature so first
9 responders and others can take, you know -- helps
10 guide them take protective actions and things like
11 that.

12 Q. And you've also authored scientific
13 papers?

14 A. Yes.

15 Q. Tell us a little bit more about those.

16 A. So I've authored a number of papers and
17 book chapters on different areas, really in
18 particular in relation to this, published a recent
19 chapter on looking at risks of exposure to
20 hydrocarbons after different types of releases.

21 Q. And you've been admitted to testify as
22 an expert in both toxicology and human health risk
23 assessment before?

24 A. Yes.

25 Q. In fact, you've been admitted as an

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 expert in front of this panel; correct?

2 A. Yes, I have.

3 MR. GROSSMAN: I tender Dr. Kind as an expert
4 in the areas of toxicology and human health
5 risk assessment.

6 MR. WIMBERLEY: No objection, Your Honor.

7 JUDGE PERRAULT: He shall be admitted as
8 such.

9 BY MR. GROSSMAN:

10 Q. Dr. Kind, would you tell us what you
11 were asked to do in this matter?

12 A. Yes. So I was asked to evaluate the
13 available site data and look at potential risks to
14 human health from a toxicological standpoint.

15 Q. And that included the AOIs that are the
16 subject of Chevron's limited admission?

17 A. Yes.

18 Q. And did you prepare a report setting
19 forth your opinions?

20 A. I did.

21 MR. GROSSMAN: And that has been marked as
22 Chevron Exhibit 4. And we'd go ahead and
23 offer, file and introduce that into the
24 record. And I'd note for the judge and for
25 the panel Dr. Kind's CV is attached as

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 appendix A to that report.

2 BY MR. GROSSMAN:

3 Q. Dr. Kind, you coauthored that report
4 with Dr. Wnek; correct?

5 A. Yes.

6 Q. Would you mind telling us about the
7 methodology you employed to perform your risk
8 assessment?

9 A. Sure. So we'll get into the individual
10 steps of this later, but from a high level, we
11 look at all the available environmental data and
12 then we look at potential ways that people might
13 be exposed to those media, figure out which
14 exposure pathways are complete, and then we
15 calculate -- well, first, we conduct a screening
16 using RECAP and EPA methodology to see which
17 chemicals we might carry through the analysis.
18 Once we do that, then we take the additional step
19 of actually calculating dosages that the site-user
20 might receive and we compare those not only to
21 health-based screening values but also to
22 toxicology benchmark values from the scientific
23 literature.

24 Q. You also went out to the site; correct?

25 A. Yes.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. And that's part of the methodology you
2 employed in this case?

3 A. That is, yes.

4 Q. After performing that work, can you give
5 us an idea of what your opinions are at a very
6 high level?

7 A. Sure. The overall high-level opinion
8 would be that the concentrations and the
9 constituents in the soil on the property don't
10 represent a risk to human health.

11 As part of that, we do, as I said
12 earlier, an exposure pathway analysis.
13 Specifically here, the groundwater exposure
14 pathway analysis indicated that that pathway is
15 incomplete; therefore, there's no potential for
16 exposure of current or future users of the
17 property to the groundwater.

18 We were also asked about an analysis of
19 petroleum hydrocarbons in the soil. And our
20 research showed -- and it's consistent with LDEQ
21 guidance -- that the petroleum hydrocarbon
22 fraction method in this case which was used by ERM
23 is the most accurate and scientifically correct
24 method for analyzing hydrocarbons for human health
25 risk.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 And then finally, the only constituent
2 that actually carried through the analysis was
3 barium in soil. And when we did our dose response
4 analysis, we did a risk characterization, we
5 determined that that barium in soil did not
6 represent a risk to current and future users to
7 the property.

8 Q. So in your opinion, Dr. Kind, from a
9 human health perspective, is there any need to go
10 out and remove soil from this property?

11 A. No, there's not.

12 Q. And in your opinion as a toxicologist
13 and human health risk assessor, is there any need
14 to remove groundwater from this property?

15 A. No, there's not.

16 Q. Now, Dr. Kind, we're going to hear from
17 Ms. Levert. I'd like you to explain to the panel
18 how your analysis differs from or borrows from her
19 analysis.

20 A. Sure. So here, we've got kind of
21 definitions of toxicology risk assessment.
22 Ms. Levert performed what we would call a
23 regulatory risk assessment consistent with RECAP
24 guidance to help guide what areas of the site may
25 or may not need to be addressed or cleaned up.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Risk assessment, as it's presented in a
2 regulatory standpoint, is really designed to be
3 protective of human health but not predictive of
4 what an actual health risk might be.

5 Since there's uncertainty in things like
6 strength of the study used to determine the
7 toxicology values or species of animals used in
8 testing or variation in human populations, there
9 are a lot of uncertainty factors built into risk
10 assessments.

11 So when you get a value, you pass
12 screening, you know that there's not an
13 opportunity for risk to occur. If you exceed that
14 value, you still live in that land of safety
15 factors, knowing that, yes, I'm above value but I
16 don't know that if I'm at a value where an actual
17 harm occurs. So what we have done as
18 toxicologists is to actually calculate those doses
19 associated with the media and the activity
20 patterns on the site, and we've compared those not
21 only the health protective values that you would
22 use in risk assessment but we've also looked at
23 the toxicology values that underlie those risk
24 assessment values where the actual effects have
25 been shown in the literature and made that

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 comparison to determine the chances for actual
2 health effects and risks to occur.

3 Q. And at the sake of being redundant, I'd
4 like you to go ahead and explain the toxicological
5 risk assessment methodology that you employed
6 here.

7 A. Sure. So risk assessment has four basic
8 steps, and I'll give you a quick overview of those
9 now and we'll dig a little deeper into each of
10 these in the presentation.

11 The first is hazard identification.
12 It's looking at what's on the property, what here
13 could be a potential chemical of concern, what has
14 the potential to cause harm to, in this case,
15 human populations? So you look at the data
16 through the hazard identification.

17 Step two is exposure assessment. So
18 then you're saying how might a user to this
19 property be exposed to these constituents? Are
20 they in the soil, water, are they in the air? And
21 how might people come in contact with those media?
22 That's step two.

23 Step three is the dose response
24 assessment. So it's looking at those exposure
25 levels and determining, you know, are they

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 sufficient to present a risk to health.

2 And then step four is the risk
3 characterization, which is combining everything
4 together, looking at those risks, looking at the
5 use patterns of the property to see if there is an
6 actual opportunity for health risk there.

7 JUDGE PERRAULT: Doctor, please speak louder.

8 THE WITNESS: Okay. Sorry.

9 BY MR. GROSSMAN:

10 Q. So Dr. Kind, let's go back to step one.
11 How did you go about identifying and quantifying
12 the constituents on this property?

13 A. So what we did was we looked at the data
14 from consultants for both the defendants and the
15 plaintiffs and examined that whole data set.

16 Q. Why is it important to look at both data
17 sets here?

18 A. Well, it gives us a more robust picture
19 of what's present on the property.

20 Q. In your opinion, were there enough
21 samples taken?

22 A. Yes, there were a lot of samples taken
23 here.

24 Q. And did you look at both wet weight and
25 dry weight?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. We did, yes.

2 Q. And why is that?

3 A. So to be really more comprehensive in
4 what we did. So the RECAP regulation requires the
5 use of wet weight concentrations for evaluating
6 direct contact to soil. The EPA methodology uses
7 dry weight concentrations to do the same thing.
8 So we actually looked at both wet and dry weight
9 when we did our analysis.

10 Q. So to summarize for step one, you took
11 this massive body of data and you looked at all of
12 those sampling results and decided which
13 constituents needed further evaluation; is that
14 fair?

15 A. That's correct.

16 Q. Let's talk about petroleum hydrocarbons.
17 And I know you mentioned this earlier about TPH
18 fractionation versus TPH mixtures. Can you tell
19 us a little bit more about that?

20 A. Yes. So there's two ways to look at
21 hydrocarbon data in the soil or groundwater. One,
22 which ICON Environmental used in this case, is
23 called total petroleum hydrocarbon mixture. So
24 you've probably heard of TPH, GRO, DRO, ORO or
25 gasoline or oil or diesel range organics. That's

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 a pretty rough screening tool for looking at
2 hydrocarbons in soil. We consider those data on a
3 screening level.

4 But if you look at the RECAP
5 regulations, regulations from other states and the
6 EPA, they prefer a different method, which is
7 called a TPH fractionation method. You're looking
8 at the straight chain or aliphatic hydrocarbons on
9 their own and you're also looking at the aromatic
10 or ringed hydrocarbons separately. So those two
11 have different toxicities. And instead of large
12 ranges of hydrocarbons, you're actually breaking
13 those down into three or four hydrocarbon chain
14 length molecules. So you get a lot better
15 resolution, you have toxicity factors from each of
16 those small ranges, and you're considering both
17 aliphatic and aromatic hydrocarbons. So it tells
18 you a lot more about what's in the soil and it
19 also tells you a lot more about potential risk and
20 toxicity associated with that. So that's the
21 methodology that we employed when we did our
22 screenings in this case.

23 Q. If I'm summarizing it, fractionation
24 data provides a lot more information than TPS
25 mixture data; is that fair?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. That's correct, yes.

2 Q. In looking at the TPH fractions, what
3 did you conclude?

4 A. So we looked at TPH fractions. There
5 were no exceedances of the RECAP Management
6 Option-1 nonindustrial screening standards, so we
7 did not move those forward in our analysis.

8 Q. You're talking about the TPH mixtures?

9 A. Yes. Yes.

10 Q. And those exceeded RECAP MO-1 standards?

11 A. The mixtures did when we took it to look
12 at the fractions -- well, there were some mixtures
13 that did, but when we looked at the fractions,
14 those did not exceed the standards, so we did not
15 further those in our analysis.

16 Q. So there's no scientific or
17 toxicological reason to carry forward TPH
18 fractions for the remainder of your analysis; is
19 that right?

20 A. That's correct.

21 Q. So with respect to constituents of
22 potential concern, let's turn away from
23 hydrocarbons. What other constituents did you
24 look at?

25 A. Well, we looked at all the constituents,

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 but that also includes a number of metals as well
2 that were measured in the soil.

3 The only two that did not screen out
4 through that process would be arsenic and barium;
5 however, arsenic was in -- there was one -- I
6 think one exceedance of arsenic. That was in an
7 area that was not associated with Chevron
8 operations. So we did not carry that through our
9 analysis either. So barium, therefore, was the
10 only compound that we carried through in our
11 toxicological analysis.

12 Q. Arsenic, you talked about it in Area 7
13 right here on the slide?

14 A. Yes.

15 Q. That's not within Chevron's limited
16 admission area; correct?

17 A. Correct.

18 Q. Did you look at chlorides?

19 A. Well, I mean, we looked at chlorides,
20 but from a toxicological and scientific
21 standpoint, those don't -- chlorides in soil do
22 not present a risk to human health. You simply,
23 based on the default exposure parameters for soil,
24 you cannot ingest enough chlorides from soil to
25 ever be a risk to human health, so we didn't carry

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 that forward in our analysis either.

2 Q. So of all the constituents you looked
3 at, barium was the only one that needed to be
4 carried forward; correct?

5 A. Correct.

6 Q. Can you summarize again why that is?

7 A. Yes. Because barium was the only
8 compound that -- from Chevron areas in soil that
9 carried through the MO-1 residential screening
10 process.

11 Q. And you used residential screening?

12 A. We did. Yes.

13 Q. And why is that?

14 A. And we'll get into this a little more
15 later, but residential represents the most
16 health-protective screening scenario for a given
17 property.

18 Q. So going through the rest of your
19 analysis, the next step is to look at potential
20 exposure pathways; correct?

21 A. Yes.

22 Q. And you have it listed as exposure
23 assessment?

24 A. Yes.

25 Q. So what pathways did you consider here?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. Well, we considered direct contact with
2 soil, direct contact with water, and also the
3 potential for consumption of wildlife on the
4 property.

5 Q. Give the panel an idea of what an
6 exposure pathway analysis looks like and how you
7 do that.

8 A. Sure. So this is a little schematic
9 that we've pulled together, but basically you have
10 to have a source of that constituent or chemical,
11 some type of mechanism release to the environment,
12 then there has to be a media where that's retained
13 or transported. So again, it could be soil, could
14 be groundwater. Then there has to be a point of
15 contact where a human receptor could come in
16 contact with that media. And then there has to be
17 an actual exposure route at that contact.

18 Q. So here, you looked at what sources?

19 A. Yeah. So here's a list of the sources
20 that we looked at. On the left side, we have the
21 potentially complete exposure pathways. And
22 again, we determined that contact with soil was a
23 complete exposure pathway, potentially, so that
24 would be contact with soil on the skin, potential
25 absorption through the skin, inhalation of dust

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 from the soil, and also ingestion of soil.

2 On the other side, you'll see the
3 incomplete pathways. Groundwater pathway is
4 deemed incomplete based upon classification of
5 Groundwater 3, poor natural quality and yield and
6 the fact that there are no drinking water wells
7 within that shallow zone on the site or within a
8 mile of the site in the well survey.

9 Q. Can I stop you right there for a second,
10 Dr. Kind?

11 A. Yes.

12 Q. What if somebody wanted water at this
13 site?

14 A. Well, if somebody wanted water at this
15 site, there are really a couple of viable options.
16 One, the well survey that we did shows that people
17 who complete wells for drinking water within a
18 mile of the property complete them in the Chicot
19 Aquifer, which I think the shallowest of those
20 wells is about 125 feet and they go on down to
21 200-something feet.

22 The second is -- I think you've heard
23 earlier, there's municipal water that's available
24 throughout the site as well.

25 Q. And there is also a water well on this

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 site completed on the Chicot; correct?

2 A. That is correct, yes.

3 Q. How did you determine whether
4 consumption of wildlife was an exposure pathway?

5 A. Yeah. So we looked at the consumption
6 of wildlife and, you know, there's really no
7 supporting evidence that that would be a
8 significant exposure pathway. A few reasons for
9 that. One, when you think of wildlife, they're
10 mobile and would move throughout the property and
11 these areas that we're talking about represent
12 very small geographical extent of the entire
13 property. Some animals are migratory, like ducks
14 and doves and things like that, so they may only
15 spend a fraction of their lifetime on that
16 property.

17 The other thing is, if you look
18 specifically a barium, it's just not a compound
19 that is really known to bioaccumulate in edible
20 tissues in animals. So you look at the potential
21 for exposure, and we deemed that that was not
22 significant in this case.

23 Q. For groundwater and wildlife, you say
24 incomplete pathways. That means what?

25 A. That means, again, that there's not an

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 exposure pathway there, so people can't be
2 exposed. If you can't be exposed, then there's no
3 risk. So we did not include those in our further
4 analysis.

5 Q. There's no scientific need to; correct?

6 A. That's correct.

7 Q. Now, with respect to soil exposure
8 pathways, what scenarios did you account for
9 there? I and know you said dermal inhalation and
10 ingestion. But with respect to potential land
11 uses or current land uses, what did you consider?

12 A. So we looked at two different exposure
13 scenarios. One would be industrial exposure
14 scenarios. So this would be things like farming,
15 petroleum E&P operations, you know, anything that
16 dealt with occupational-type exposure.

17 The other thing we looked at was what's
18 called a nonindustrial exposure scenario. That
19 relates to somebody actually having a residence
20 and residing on that property for 24 hours a day
21 for 350 days a year.

22 Q. All right. So now we have a
23 constituent. We have barium, and we have a
24 potential exposure pathway through soil. What's
25 next?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. So the next thing is to do our dose
2 response assessment where we actually calculate
3 what those potential doses would be using
4 methodology from RECAP, US EPA, and then comparing
5 those values to those toxicology benchmarks that I
6 discussed earlier.

7 Q. Could you explain for all of us the
8 significance of dose?

9 A. Sure. I'm trying not to belabor the
10 point too much, but as toxicologists, we view all
11 substances as potentially toxic and really it's
12 the dose that differentiate whether or not -- or
13 on the level of dose that differentiates whether
14 or not a given exposure will be toxic to that
15 person. And that's really kind of the foundation
16 and cornerstone of toxicology and also
17 pharmacology as well.

18 Q. And I think some of these other slides
19 help to explain this point a little bit better.

20 A. Yeah. So this is a quotation from
21 Casarett & Doull's, which is like the handbook,
22 textbook of toxicology. Again, if you look at the
23 italicized text, it's really the concentration,
24 the length of time, that's how you get your dose
25 and it has to be sufficient to have a toxic effect

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 or manifestation.

2 Just a quick example of a few with this
3 concept, a couple examples. So water, you know, a
4 quart and a half of water is safe. If you drink
5 15 quarters at one time, that can be lethal.
6 Aspirin, as we all know, a couple aspirin can be
7 safe. If you have eight aspirin at a time, you
8 can get ringing of the ears. If you have 30, you
9 can get a bleeding ulcer in your stomach because
10 of the acid. If you have 90 at a time, that could
11 be a lethal dose. Lima beans actually contain
12 cyanide. So one helping's good, but ten cups at a
13 time has enough cyanide to be lethal. So these
14 are just everyday examples of a dose response.

15 Q. So to do your analysis of a potential
16 dose here, what do you compare it to?

17 A. So in this case, we looked at a few
18 benchmarks. One is called the reference dose, and
19 that is a health protective value that's derived
20 by the EPA, US EPA, that's designed to be
21 protective of even sensitive subpopulation for
22 daily exposure for a lifetime. So we work with
23 that. We also look at values in the scientific
24 literature that have been shown to be like the
25 lowest effect level that's been seen in the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 scientific literature. So those are our main
2 comparison benchmark points.

3 Q. Okay. The reference dose that you
4 mentioned is protective, isn't it?

5 A. Yes. It's protective of even sensitive
6 subpopulations.

7 Q. Let's talk a little bit more about
8 reference dose. I think we have two slides here
9 to help that explanation. We'll start with this
10 one right here. What does this one show us?

11 THE WITNESS: Do you mind if I stand up and
12 point at the screen?

13 JUDGE PERRAULT: Go ahead. Just speak loud.

14 A. Okay. I'll do that.

15 So this draft is what we would call a
16 dose response curve in toxicology. So if you look
17 at the X axis, it's the log of the dose, so as you
18 go out on the axis, it's a higher dose. This is
19 the percent response. So this is the percent of a
20 population. We can say it's a population of
21 laboratory animals. So zero percent response up
22 to 100 percent response. This blue line is the
23 actual measurement of this response, so when you
24 plot dose response on a log scale, you get the
25 S-shaped or sigmoid-shaped response.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 These dots with the vertical bars
2 represent hypothetical data points, and that's
3 what the curve is drawn through, those data
4 points.

5 So key things to look at here, I talked
6 about the effects levels from the literature. So
7 this level here is called the LOAEL, this the
8 Lowest Observable Adverse Effect Level. So that's
9 the lowest concentration test that produced some
10 type of effect. That's called the LOAEL. We'll
11 talk about that in a minute.

12 This is the No Observed Adverse Effect
13 Level. This is the highest dose where you don't
14 see an effect. So when you talk about something
15 like a reference dose or a RECAP screening value,
16 they're based off of these LOAELs and NOAELs, and
17 what happens is, in this case, we have an example
18 of a NOAEL. You say all right, that's the NOAEL,
19 this was a study in laboratory rats. So we don't
20 know exactly how humans are going to respond
21 compared to rats, so we're going to add a
22 protective factor. We don't know the variability
23 within the human population, so we're going to add
24 another protective factor. Maybe this was a
25 three-month study instead of a full lifetime

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 study, so we're going to add another protective
2 factor. So you add protective factors in and then
3 finally you get your reference dose here.

4 So we know this reference dose is safe
5 because we have all these safety factors in here,
6 but we also know that it's conservative and it may
7 not reflect the actual concentration of where that
8 adverse health effect occurs. So we looked at
9 both the reference doses and the LOAELs in this
10 case for barium. If you want to go to the next
11 slide.

12 Q. Yeah, I like this slide.

13 A. Yeah. This is actually a practical
14 application of that. So this is a reference dose
15 summary for a chemical called pyrene, which is a
16 polycyclic aromatic hydrocarbon. It's actually
17 found sometimes in aged petroleum. This is the
18 concentration or the dose in milligrams of
19 compound per kilogram of body weight per day.
20 This is the LOAEL in -- in this study. This is a
21 rat study. 125 milligrams per kilogram per day.

22 This is the no observed adverse affect
23 level of 75 milligrams per kilogram a day. Now,
24 in order to derive this reference dose, these are
25 the protective factors that are figured in. So

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 you've got ten-fold protective factor for
2 intraspecies variability, humans to rats.
3 Interspecies variability, variability among
4 humans, another factor of ten for this being a
5 sub-chronic or a weeks-long study instead of a
6 years-long study. Another factor of three for
7 lack of other studies, and then, if you're doing
8 RECAP, there's another factor of ten if you're
9 looking at the screening level of RECAP. So you
10 end up with a dose of .003 milligrams per kilogram
11 per day, which is thousands and thousands of times
12 lower than the actual level that's the lowest
13 level that's been shown to not have effects or
14 have effects in this laboratory animal species.
15 So there's a lot of that conservatism and health
16 protection that's built into these values.

17 Q. Where do the reference doses come from?

18 A. The reference doses come from the EPA.
19 They have a database called the Integrated Risk
20 Information System where they derive and house all
21 of these reference doses.

22 Q. In other words, you're not making these
23 up?

24 A. That's correct.

25 Q. These are published?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. That's correct.

2 Q. So now we get to the last step. Step
3 four, the risk characterization. Tell us a little
4 bit about this.

5 A. Yes. So the risk characterization
6 involves taking what we learned about the exposure
7 concentrations and the exposure of potential
8 pathways and uses of the property, looking at the
9 dose response assessment, what those results
10 indicated, and then kind of combining that all
11 together to determine whether or not there is a
12 potential risk to users of the property.

13 Q. And I believe here you mentioned that
14 you did a very conservative analysis. Could you
15 help the panel and the judge understand that?

16 A. Yes. So when we say conservative in the
17 terms of human health risk assessment,
18 conservative means being health-protective. So
19 there's a few things that we did here, different
20 levels and layers of conservatism.

21 The first thing we did was how we looked
22 at the site data. So we looked at it multiple
23 ways. So we looked at the maximum concentration
24 of constituents on the site. So that would be
25 from one location. We looked at the maximum

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 location average. So oftentimes, there are split
2 samples from the same location, so we would
3 average those and look at maximum average of
4 those. We looked at averages for the different
5 areas of interest here, and then we also looked at
6 what's called the 95 percent upper confidence
7 limit, which is a statistical derivation of what
8 the maximum, kind of, average exposure could be
9 across that area. It's -- of all these values,
10 it's still conservative, but it's the most
11 realistic of the potential exposure scenarios.

12 Q. And so what does this chart here on the
13 side show with industrial and residential?

14 A. Yes. Yeah. So as I mentioned earlier,
15 we looked at both the industrial and residential
16 exposure scenarios. So if you look at the left
17 column, those are the different exposure
18 parameters that we used, and you'll see industrial
19 and residential on the other two columns. So the
20 first difference there is the duration of
21 exposure. An industrial exposure assumes 25 years
22 of exposure. Residential can assume 30 years as
23 an adult or six years as a child.

24 The frequency of exposure, for
25 industrial, you think somebody's out there for 50

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 weeks a year, five-day workweek, that's 250 days.
2 Residential is 350 days a year.

3 The time is 8 hours a day for somebody
4 who's working on a property versus 24 hours a day
5 for someone who's living there.

6 The ingestion rate of soil, this is
7 incidental ingestion of soil on the hands to the
8 mouth is 50 milligrams per day for an industrial
9 scenario. For a residential scenario, it's either
10 100 milligrams per day for adult or 200 milligrams
11 per day for a child.

12 Q. In calculating doses here, did you use
13 the child or adult scenario?

14 A. So we used the child scenario because
15 that is the most conservative, the most
16 health-protective. It assumes the greatest dose
17 of all those scenarios.

18 Q. With respect to ingestion rates, did you
19 consider soil pica? Maybe the panel doesn't know
20 what soil pica is. Would you mind explaining what
21 that is?

22 A. Yeah, sure. So these exposure values
23 that we're dealing with, as far as exposure
24 parameter for soil ingestion --

25 MR. WIMBERLEY: I'm going object, Your Honor.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 He's not discussed soil pica at all in his
2 report, he didn't discuss soil pica anywhere
3 in his deposition, and I'm not aware of what
4 he's about to say.

5 JUDGE PERRAULT: All right.

6 How is this relevant?

7 BY MR. GROSSMAN:

8 Q. Dr. Kind, did you consider soil pica?

9 A. It's something that we consider --

10 MR. WIMBERLEY: I object, Your Honor.

11 JUDGE PERRAULT: I'm asking --

12 MR. GROSSMAN: Judge, it's a potential
13 exposure scenario that they looked at and did
14 not consider for very good reasons, and I'd
15 like him to be able to explain that to you
16 and the panel.

17 JUDGE PERRAULT: It wasn't considered?

18 MR. GROSSMAN: They considered it, and they
19 ruled it out. So it's not in his report, but
20 it's --

21 JUDGE PERRAULT: So if it's ruled out, how is
22 it relevant?

23 MR. GROSSMAN: It's an assumption that I'd
24 like him to speak to.

25 JUDGE PERRAULT: I'm asking you: How is it

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 relevant if they ruled it out?

2 MR. GROSSMAN: I think the fact that he ruled
3 it out and the reasons why is relevant.

4 JUDGE PERRAULT: We'll hear that. Go ahead.

5 BY MR. GROSSMAN:

6 Q. So explain what soil pica is and then
7 explain to the panel why you ruled it out here.

8 A. Sure. So soil pica is ingestion of an
9 unusual amount of soil. It's something that we
10 consider when we do risk assessments, but it is a
11 very site-specific and unique phenomenon, and
12 typically that does not get carried forward in a
13 risk assessment parameter.

14 So we used 200 milligrams per kilogram
15 per day -- or milligrams per day. That's the EPA
16 and RECAP default amount of soil ingestion per
17 child. That's a very conservative value in its
18 own right because the studies show that's really
19 about 80 milligrams per day per child. This
20 assumes more than that. Soil pica is an event
21 where the scientific literature might show that a
22 child might ingest 5,000 or 1,000 milligrams of
23 soil in a day typically maybe once or twice a
24 year, so it's not a common event. And that
25 behavior is not something that is generally

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 included in human health risk assessments unless
2 there's specific reason to do so.

3 Q. Thank you, Dr. Kind.

4 So let's move to this next slide that
5 shows two tables that are also included in
6 Exhibit 4, which is your exhibit report.

7 A. Yes.

8 Q. Would you please explain to the panel
9 and to the judge what these tables show?

10 A. Yes. If you don't mind me getting up
11 again.

12 So these are tables from the expert
13 report. They're identically set up. The
14 difference here is the top table looks at wet
15 weight results and the bottom table looks at dry
16 weight results. So these, again, are this child
17 residential scenario. Again, we mentioned barium
18 was the only chemical that carried through. We
19 looked at site max, site location average, the 95
20 UCL for Area 6 because that was the area that had
21 the highest 95 percent UCL and the 95 percent
22 upper confidence level for the site as a whole.
23 Total daily intake in milligrams per kilogram a
24 day is the dose for that child receptor based on
25 each of these concentrations. The next column is

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 that reference dose that I showed you in those
2 couple of figures. That is the health protective
3 value from the EPA that says it's protective of
4 even sensitive populations for a lifetime of
5 exposure.

6 Next is how many times below the
7 reference dose the total daily intake was. So if
8 you're below the reference dose, that means you're
9 receiving less than that reference dose, and
10 there's a margin of safety involved with that
11 dose.

12 The next is the lowest observed affect
13 level of 63 milligrams per kilogram per day, and
14 then the final column is how many times that daily
15 dose is less than the lowest observed adverse
16 effect level.

17 And what you see here is that we're
18 below the reference dose both for wet weight and
19 for dry weight, which tells us there's a margin of
20 safety related to potential barium exposures.

21 And one thing I would note as well is we
22 did look at site max as a screening tool, but in
23 order for this to be true, you would assume that
24 that child spends 24 hours a day 350 days a year
25 at that one location where that maximum was

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 recorded, and that's just not a realistic
2 scenario. So that's why I was saying that really
3 these UCLs assume kind of an even distribution
4 across that, either the Area 6 or the whole site,
5 so that's a more realistic type of exposure
6 scenario.

7 Q. And what these tables show, if I'm
8 reading them correctly, is that even in the
9 unrealistic scenario where a child is spending 350
10 days, 24 hours a day at the areas with the highest
11 concentrations, they're still not even approaching
12 the reference dose?

13 A. They are still less than the reference
14 dose; correct.

15 Q. So what does this tell you about barium
16 at the site?

17 A. Well, overall, this tells me that barium
18 at the site does not present a risk to human
19 health.

20 Q. It's below the reference dose?

21 A. Yes.

22 Q. And it's below the LOAEL?

23 A. That is correct.

24 Q. Now, we're talking about barium. And
25 the barium that you used in your analysis, is that

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 the same barium found at the site?

2 A. No.

3 Q. Explain that. Because I think the panel
4 would be interested to hear it.

5 A. Yes. So this is another, kind of, level
6 of health-protective that's built in. Barium can
7 be found in both soluble forms in the environment
8 and insoluble forms. Soluble forms like barium
9 carbonate or others -- barium chloride is one
10 you'd see in animal studies -- can actually be
11 absorbed into the body. Okay?

12 Barium sulfate is what's called
13 insoluble barium. And barium sulfate, or barite,
14 is what was used in drilling muds to add weight to
15 drilling muds.

16 So -- and it's essentially nontoxic.
17 Again, barium sulfate is what they use as a
18 contrast media for GI X-rays and things like that.

19 So the question that you ask is, you
20 know, is the barium here that we find on legacy
21 oil fields, is it barium sulfate? Is it barite?
22 Is it insoluble? Is it nontoxic? Or is it barium
23 chloride or some type of ionic form of barium? So
24 you can do a test called XRD which actually looks
25 at the mineralogy of the barium and can tell you

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 the species it is.

2 In this case, XRD indicates that the
3 barium is an insoluble form called barium, or
4 barium sulfate. So when we do our analysis, we
5 assume that all the barium is actually some type
6 of bioavailable barium, that the standards we're
7 working off of assume it's bioavailable,
8 potentially toxic. So we've done our calculations
9 and even assuming that it is soluble barium,
10 again, as I just showed you, that does not present
11 a risk to human health. But when you consider
12 that the barium is likely insoluble, likely barium
13 sulfate, then that just gives you an even greater
14 margin of safety to not have concern for a risk to
15 human health in the soil.

16 Q. So turning back to these two tables,
17 7.15 and 7.16, those are evaluating the soluble
18 bioavailable form of barium; correct?

19 A. Those are considering all that barium to
20 be bioavailable and soluble.

21 Q. And in your opinion, is the barium at
22 this site bioavailable?

23 A. Well, I think XRD would show there's a
24 lot of barium as barium sulfate, which would not
25 be bioavailable.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. So, Dr. Kind, in summary, can you give
2 us the breath of your opinions in this case?

3 A. Sure. Again, you know, the highlighted
4 summary is that the concentrations of constituents
5 in the soil don't represent a risk to human
6 health. We talked about the groundwater exposure
7 pathway not being complete and why that was. And
8 also, when we did our analysis, we ended up
9 carrying barium all the way through the toxicity
10 analysis and concluded that barium concentrations
11 in the soil were not sufficient to cause a
12 potential risk to users of the property.

13 MR. GROSSMAN: Thank you, Dr. Kind.

14 I'll pass the witness.

15 CROSS-EXAMINATION

16 BY MR. WIMBERLEY:

17 Q. Dr. Kind, Todd Wimberley. I deposed you
18 a few months ago. Do you remember?

19 A. Yes.

20 Q. First of all, do you believe that
21 there's contamination on this property?

22 A. I don't know what you mean by
23 "contamination." I think that's a legal term that
24 gets used in these hearings.

25 Q. Do you believe the property is suitable

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 for its intended use?

2 A. Again, what my analysis showed is that
3 there's no potential risk to human health for
4 users of the property; so in that extent, I would
5 say yes.

6 Q. What's the intended use of the
7 groundwater on this property?

8 A. I don't believe there is an intended
9 use.

10 Q. So you believe there's no intended use
11 for the groundwater on this property, it's not
12 intended to be drunk, for instance?

13 A. I don't recall seeing mention of that.
14 What we know from the groundwater is there is a
15 deep well into the Chicot Aquifer on the property
16 and there's wells in the Chicot within the area.
17 But that's my recollection of the use of
18 groundwater in the general region around the
19 property.

20 Q. What's the intended use of the shallow
21 groundwater on this property?

22 A. Again, I'm not aware that there is one.

23 Q. Did you do anything to figure out what
24 the intended use was?

25 A. Again, I don't recall seeing any

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 intended use and we're talking about a GW 3 with
2 poor water quality, naturally poor water quality
3 and yield, so --

4 Q. Did you ask Mr. Henning what his
5 intended use was?

6 A. I haven't spoken to Mr. Henning.

7 Q. Did you do anything to investigate what
8 the intended use of the shallow groundwater was on
9 this property?

10 A. It's my understanding, based upon the
11 analyses, that that water really is not usable
12 water.

13 Q. So if Mr. Henning intends to use it to
14 give to his grandchild, are you going to tell him
15 he can't do it?

16 A. I'm not going to tell Mr. Henning
17 anything. I'm just telling you what the science
18 shows.

19 Q. Would you tell him it's unsafe?

20 A. Again, I wouldn't tell him what he would
21 or wouldn't do with that groundwater.

22 Q. Is it safe for Mr. Henning to give the
23 shallow groundwater to his grandchildren on a
24 daily basis?

25 A. You've got high levels of iron and

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 manganese in that water that render it unsafe
2 naturally without treatment.

3 Q. I'm talking about the benzene and the
4 barium.

5 A. Again, I've -- you know, we talked about
6 benzene during my depo, and I told you before that
7 I couldn't find anything in the scientific
8 literature that showed those levels would be
9 unsafe. And since then, I've looked at both
10 cancer and noncancer values for benzene, and the
11 concentration at that one location would not
12 indicate that there would be adverse health
13 effects if you drank that water.

14 MR. WIMBERLEY: So, listen now, he's telling
15 you that he can't say it's safe to drink.

16 BY MR. WIMBERLEY:

17 Q. How many places on the property did you
18 do the XRD analysis?

19 A. I did not do that myself. I think ERM
20 did that with two of the higher barium
21 concentration locations --

22 Q. Did you order the XRD analysis?

23 A. I don't recall doing that. I think that
24 was maybe done before we got involved.

25 Q. Okay. So this whole thing you went

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 through with Mr. Grossman about how you believe
2 the barium on the property is barite and not
3 soluble barium, this all depends on the XRD
4 analysis; right? That's the only proof you have?

5 A. Well, again, you have that, combined
6 with the knowledge that the type of barium that's
7 used in E&P operations is barium sulfate, that's
8 the additive that's used in drilling mud.

9 Q. The only testing you did to determine
10 what type of barium was on the property was the
11 XRD analysis that was done; correct?

12 A. I believe that's the only testing that
13 was done --

14 Q. That only happened in two places; right?

15 A. Yes. Typically, in order to do that
16 analysis, you have to have a sufficient
17 concentration of barium in the sample to do that.
18 So typically, you select a couple of the higher
19 barium concentrations samples to do that analysis.

20 Q. And you only did it in two spots;
21 correct?

22 A. Yes.

23 Q. Okay. You don't have any testing to
24 show what type of barium was occurring on any
25 other part of the property other than those two

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 spots?

2 A. Again, you sample the highest ones,
3 higher ones that you can find and analogize that
4 to the others.

5 Q. Are you aware that there are microbes
6 that could break down barium sulfate?

7 A. Not specifically. There are,
8 obviously -- I mean, there are
9 sulfate-consuming microbes, but I haven't done
10 that specifically.

11 Q. Is it something that you've never
12 studied?

13 A. I mean, I've studied it in general but
14 not specifically to barium.

15 Q. Did you do anything to understand
16 whether or not the microbes in this property are
17 able to break down the barium sulphate into barium
18 sulfide, for instance, or barium carbonate?

19 A. I didn't. And again, it doesn't really
20 matter for my analysis because I assumed all the
21 detected barium was bioavailable, so that's really
22 not germane --

23 Q. That's not something you did?

24 A. Again, no. I took the health protective
25 assumption that all that barium was indeed

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 bioavailable, so it really doesn't matter because
2 I assumed it was soluble, not insoluble.

3 Q. And you don't deny that barium sulfate
4 can be broken down by microbes into barium
5 sulphide or barium carbonate?

6 A. I told you I did not do that analysis,
7 so I can't tell you either way.

8 Q. The analysis that you did was not a
9 strictly RECAP analysis; right?

10 A. I did an analysis that used RECAP and
11 EPA methodology, but I went beyond your standard
12 RECAP analysis to actually do the toxicology
13 assessment.

14 Q. And I think you and I went back and
15 forth on this in your deposition a little bit,
16 and, kind of, I think where we ended up was, it
17 was there fair to say your analysis was guided by
18 RECAP but maybe it didn't comply with each letter
19 of the law of RECAP; is that correct? Is that
20 fair?

21 A. I did not do a RECAP compliance
22 assessment. That's what Mrs. Levert did.

23 Q. So you weren't bound in your assessment
24 by each and every rule of RECAP; correct?

25 A. Yeah, I guess that's correct. Again, I

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 used methodology from RECAP, methodology from US
2 EPA, but I did not do a regulatory RECAP risk
3 assessment.

4 Q. You were able to do what made more sense
5 as a scientist; right? Looked at this from a
6 science perspective?

7 A. Well, I looked at it from a toxicology
8 perspective. I went beyond standard human health
9 risk assessment and did a toxicology assessment.

10 Q. So if something in EPA rules or
11 something in RECAP rules maybe didn't make sense
12 to you as a scientist, you were free to disregard
13 those and explain to this jury or this panel why
14 your analysis makes sense; right?

15 A. I don't know what you mean by disregard.
16 Again, I used methodology from both of those --

17 Q. Did you use all the RECAP methodology?
18 Did you follow every letter of the law?

19 A. Again, I used the RECAP methodology that
20 was germane to exposure parameters in calculating
21 doses and screening and things of that nature.

22 Q. Did you identify AOIs in accordance with
23 RECAP?

24 A. Again, I did not do that. That's
25 something that Mrs. Levert did, who did the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 regulatory risk assessment.

2 Q. Can we agree that in health risk
3 assessment the RECAP, the linchpin of the whole
4 thing really is what's that compliance
5 concentration or what's that concentration that we
6 see in the ground?

7 A. Well, the exposure ^point concentration
8 is certainly important but --

9 Q. That drives the whole boat; right?

10 A. Well, it's one of the factors. There's
11 a lot of factors that go into the screening
12 process and calculating doses --

13 Q. And the data points --

14 MR. GROSSMAN: Todd, let him finish his
15 answers.

16 BY MR. WIMBERLEY:

17 Q. Go ahead.

18 A. I was just saying there are a lot of
19 factors that go into doing that assessment and
20 calculating that dose or screening, whichever
21 you're doing.

22 Q. The data points that go into making that
23 concentration are of paramount importance; right?

24 A. They are one of the important factors.

25 Q. And you didn't follow the RECAP rules

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 about which data points go into that concentration
2 in your analysis; correct? Because you didn't do
3 the AOIs?

4 A. Well, I worked with the areas that had
5 been established by Mrs. Levert.

6 Q. Which are not AOIs under RECAP; right?

7 A. I don't know the distinction to make
8 ^there.

9 Q. So you can't sit here today and tell
10 this panel that those areas of interest that have
11 been identified in the ERM report are actually
12 AOIs under RECAP?

13 A. What I can tell the panel is that I
14 looked at all the data from those individual areas
15 in my assessment.

16 Q. Including the data points that would be
17 outside the AOI?

18 A. Well, it would depend on which way.
19 Again, I looked at site maxes, I looked at
20 location averages and averages for those areas.
21 So I looked at -- again, a number of different
22 ways to look at those -- those data.

23 Q. Okay.

24 And when you do your analysis for soil
25 ingestion under a child scenario -- which is what

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 did you; correct?

2 A. Yes.

3 Q. That's one of the analysis that you did.

4 What we're trying to discuss there or
5 determine there or analyze there, how much soil a
6 kid is going to get in its mouth if it lives
7 there? Is that in general how you would describe
8 that?

9 A. Well, there's a daily ingestion rate up
10 to that, yes.

11 Q. What we're trying to measure is how many
12 times a kid is going to go outside and get dust
13 from the carport and go in its mouth, we're trying
14 to figure out how much soil is going in that kid's
15 mouth?

16 A. Again, that's the daily, that
17 200 milligrams per day ingestion rate.

18 Q. And that's driven by -- one of the other
19 variables in that equation is what's the
20 concentration that we're looking at; right?

21 A. Not in that equation, no.

22 Q. In the equation about what the dose is
23 that the kid's getting, it's concentration times
24 exposure equals dose; right?

25 A. Yes. But you were asking me if what's

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 in the soil drives how much a child takes into
2 their mouth.

3 Q. No. I'm not asking that. I'm asking
4 how much dosage he gets from that soil that gets
5 in his mouth?

6 A. Well, dose is a function of how much
7 soil and the concentration of the constituent in
8 the soil.

9 Q. So the higher the concentration of the
10 soil that the kid is encountering, the higher dose
11 they're going to get because they're eating the
12 same amount of soil under your scenario; right?

13 A. Assuming the same ingestion rate.

14 Q. But yet -- and where's the barium on the
15 site?

16 A. Barium is in the upper -- most of it's
17 in the upper couple feet of soil.

18 Q. Upper 2 feet; right?

19 A. Yes.

20 Q. How many data points did you use in your
21 concentration beneath 2 feet? All of them; right?
22 All the way down to 50 feet?

23 A. Not all the way down to 50 feet, no.

24 Q. You didn't?

25 A. No. The barium data are limited to the

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 top 12 feet. And like when we look at soil max,
2 for example, that's typically in the zero to
3 2-foot range.

4 Q. You used -- you're going to dispute with
5 me that you used all the data down to feet 15?

6 A. Well, so it depends. So if you're
7 looking at the site max, for example, or max
8 location average, those tended to be, I think, in
9 the top 2 feet. But when you look at a UCL, RECAP
10 says that they consider anything of 15 feet or
11 less in depth to be surface soil, so you use that
12 entire data set.

13 Q. But you weren't bound by RECAP; right?

14 A. Well, again, I told you I used RECAP
15 when calculating my exposure parameters.

16 Q. If I'm trying to figure out how much
17 dirt the kid is going to get in its mouth, does it
18 make sense to look at the dirt that's 12 feet
19 deep?

20 A. RECAP will tell you it does.

21 Q. You weren't bound by RECAP; you were
22 bound by science and what makes sense; right?

23 A. Again, I used the RECAP methodology to
24 calculate that. And when you look at soil maxes
25 or max location averages, that gives you your

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 potential highest exposure regardless of what
2 depth that was here. It happened to be zero to
3 2 feet, so we still have that level of
4 protectiveness there.

5 Q. But conveniently, RECAP lets you average
6 that down with all the zeros at 10 to 12 feet?

7 A. RECAP says that that is how you
8 calculate that concentration for the AOI.

9 Q. Speaking of the 200 milligrams a day,
10 since you didn't talk about pica in your report or
11 in your deposition and I don't know what you're
12 going to say, I'm going to ask you about it.

13 How much soil does a pica child ingest
14 on a daily basis?

15 A. Well, it's not really a daily basis. It
16 tends to be episodic events of a couple times a
17 year. What I've seen, the literature shows 500 to
18 1,000 milligrams, even maybe a couple thousand
19 milligrams at a time.

20 Q. Are you talking acute pica or
21 sub-chronic pica?

22 A. I think what the literature would show
23 is that tends to happen on acute episodic bases.

24 Q. Do you know what RECAP has to say about
25 pica children?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 A. I did look at that. I don't remember
2 exactly what it says. I think it says that's a
3 site-specific type of parameter approach.

4 Q. But you didn't -- so explain to me why
5 you didn't consider pica children in your
6 analysis.

7 A. Well, again, pica is something that you
8 think about when you approach a site, but if you
9 don't have any specific reason to include that,
10 it's a site-specific parameter and that's
11 typically or actually almost never included in a
12 risk assessment unless you have reason to believe
13 differently.

14 Q. So in your scenario, you didn't do it
15 because there's no pica child living at this
16 property?

17 A. Again, that's a rare event. And when we
18 look at the soil ingestion rates that we do
19 include, the 200 milligrams per day, that's
20 actually about almost three times higher than what
21 the studies show children actually consume on a
22 daily basis. So there's, again, a protective
23 factor built in there. So pica specifically
24 didn't figure into that.

25 Q. What's the intended future use of this

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 property?

2 A. The intended future use that I saw was
3 more of the same, agricultural and potential
4 recreational use as a hunting camp or fishing
5 camp.

6 Q. Do you have any idea if any of
7 Mr. Henning's children or grandchildren want to go
8 live at this property?

9 A. They may or may not. But again, I did
10 my assessment assuming that was a possibility when
11 I did that nonresidential --

12 Q. You just assumed that a pica child
13 wouldn't live there?

14 A. Again, pica is not a standard
15 occurrence, so that is not a standard assumption
16 when doing health risk assessment.

17 Q. So let's just get this straight. You
18 didn't do the work to say it would be safe for a
19 pica child to live there; is that correct?

20 A. Again, I didn't include that
21 specifically in my analysis because that is not --
22 it's not something that is common or works its way
23 into human health risk assessment.

24 MR. WIMBERLEY: Scott, will you put up
25 Exhibit GGG 75. This is RECAP.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Blow it up.

2 (Discussion off record.)

3 MR. WIMBERLEY: Can I put this on the Elmo?

4 Zoom in on the acute health risk part.

5 BY MR. WIMBERLEY:

6 Q. Did you know that RECAP asks you to look
7 at pica and possibly low its threshold based on
8 that?

9 A. Again, I think pica is considered a
10 site-specific potential, and if it's there, then
11 you would consider it.

12 Q. So you would only consider it if there
13 was a pica child there; right?

14 A. That would be -- that would be --

15 Q. Under your analysis?

16 A. That would be the basis for doing that.
17 Again, as I said earlier, it may be --

18 Q. So we're not going to protect the future
19 for pica children?

20 A. Again, that may be more of an acute
21 toxicity issue. We're looking at chronic toxicity
22 here. If you were to do the acute analysis, you'd
23 find those screening values would be much higher
24 than what they are, so... but I haven't done that,
25 here again.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Q. And how much did you say you used for
2 milligrams per kilogram per day for the child or
3 200 milligrams --

4 A. It's 200 milligrams of soil per day.

5 Q. How much does RECAP ask you to use?

6 A. I don't think RECAP's asking you to use.
7 They mention the potential of up to 25 to 60 grams
8 per day.

9 Q. So that's five times 60. So what's that
10 math? 300 times higher than what you're using?

11 A. It's -- I haven't done the math, but
12 it's -- so it would be a half a gram per day,
13 or --

14 Q. No. 23 to 60?

15 A. 200 would be --

16 Q. And you're using a fifth of a gram per
17 day?

18 A. Would be 200.

19 Q. I think it's 300 times higher --

20 A. Yes.

21 Q. -- than what you assumed?

22 A. Again, that pica assumes a higher level.
23 But you only use that when you have evidence that
24 that's occurring.

25 Q. Since I didn't see this until you walked

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 up onto the stand, I'm going to ask your colleague
2 here: If you could pull up Slide No. 24 from his
3 presentation on the board.

4 Now, you have a column here that says
5 that your calculations show that these doses that
6 you're assuming under your scenario are three to
7 four to five to 14 or two to three to four to five
8 times higher than the reference dose -- or lower
9 than the reference dose --

10 A. That would be lower.

11 Q. -- right?

12 A. That would be lower.

13 Q. If that child ingested 300 times the
14 amount that you're assuming in this model, those
15 numbers would be way above the reference dose,
16 wouldn't it?

17 A. Well, that would not be the right
18 comparison because --

19 Q. This number would be 150 --

20 A. Because the reference dose is a lifetime
21 average daily dose. Pica is an acute -- as it's
22 said in RECAP, an acute situation, so you would
23 make a different comparison to acute values, not a
24 lifetime value like that.

25 Q. Up to 15 years; right? Under EPA

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 guidance?

2 A. Again, pica is acute. It's not a daily
3 dose like what we're talking about there, so it
4 would be a different type of exposure scenario.

5 Q. This would be minus 150 percent?

6 A. Again, that would not be a valid
7 comparison to make.

8 Q. But you didn't do that analysis? You
9 didn't analyze whether the property was safe for a
10 pica child?

11 A. Again, there's no evidence of pica.
12 Pica is a rare event. It's not something that is
13 considered in site risk assessments like this
14 unless there's specific information related to
15 that. So no, I did not.

16 Q. So under your professional opinion,
17 making a concession or a concern or a change to
18 your analysis to evaluate for pica children should
19 only happen if there's a pica child on the
20 property? Will you disregard the future and the
21 possibility that there might be a pica child on
22 the property in the future?

23 A. Again, you're looking at what the
24 typical user of a property would be. Pica is a
25 rare occurrence, and if you have specific

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 information, you would include that. But again,
2 that is not standard practice for a human health
3 risk assessment, to just assume there would be a
4 pica child in the future on the property.

5 MR. WIMBERLEY: Take that down, please.

6 Thank you.

7 BY MR. WIMBERLEY:

8 Q. You didn't analyze groundwater; correct?

9 A. I analyzed whether or not that exposure
10 pathway would likely be complete, but I did not go
11 beyond that because it was not a complete exposure
12 pathway.

13 Q. You didn't do a toxicological health
14 risk assessment on the groundwater, the quality of
15 the groundwater as it exists in the ground,
16 whether or not it's safe to drink?

17 A. Again, because that pathway was not
18 complete.

19 Q. But you didn't do that; right?

20 A. Well, again, if the pathway's not
21 complete, you don't carry through the next step,
22 so I did not --

23 Q. I understand that you said the pathway's
24 not complete. But you didn't do the second part
25 of that analysis; correct?

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 MR. GREGOIRE: Mr. Wimberly's going to have
2 to let the witness speak. I've heard him
3 interrupt the witness on at least 20
4 occasions, and we've tried to be flexible on
5 it, but please let him give his answer.

6 A. Because the pathway was not complete, I
7 did not proceed with that health analysis because
8 there's no exposure; and if there's no exposure,
9 there can be no risk.

10 BY MR. WIMBERLEY:

11 Q. You did not proceed. Okay. I think I
12 got it there.

13 So you have a number of reasons you
14 think that the groundwater pathway is incomplete.
15 And they all look to me like kind of your present
16 assessment of the facts. What makes you think the
17 groundwater pathway won't be complete in the
18 future?

19 A. Well, again, it's based on multiple
20 lines of reasoning. One is there have never been
21 drinking water wells completed in that shallow
22 zone on the property. There aren't any in those
23 shallow zones within a mile of the property. The
24 water is of natural poor quality and yield. And
25 there's already a deeper well on the property.

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 There's deeper wells in the region, and there's
2 municipal water going to the area as well.

3 Q. If Mr. Henning wants to drill a 50-foot
4 well on the property, there's nothing to stop him;
5 right?

6 A. Other than, again, yield and quality of
7 the groundwater and those other factors.

8 Q. Well, we see there are at least ten
9 places where we've already drilled wells at less
10 than 50 feet that got thousands of gallons per
11 day; right?

12 A. He can drill a well. But again, those
13 factors would factor into whether or not that was
14 a viable well.

15 Q. So you think it would just be
16 unreasonable for him to drill a well?

17 A. Again, I'm not sure that would make
18 sense from a water quality standpoint. People
19 have not done that within, again, the area. It's
20 not a regional thing. If you're drilling a well
21 50 feet, I don't know why you wouldn't go down
22 another 100 feet to get to the Chicot.

23 Q. What if I just want to?

24 A. Again, you can do what you what. It's
25 your property, but it's a matter of what makes

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 sense.

2 Q. Is there a safe level of benzene in
3 groundwater, drinking water?

4 A. From what I've seen, the EPA has an MCL
5 of 5 micrograms per liter, which is -- which is
6 that drinking water standard. When you look at
7 the scientific literature, the levels that
8 would -- well, levels that low don't cause actual
9 harm. But again, that is a conservative
10 health-based value related to protection of public
11 water sources anyway.

12 Q. So 5 micrograms per liter?

13 A. That is the maximum contaminate level
14 set by the US EPA.

15 MR. WIMBERLEY: I think that's all the
16 questions I have. Thank you.

17 MR. GROSSMAN: No redirect, Your Honor.

18 JUDGE PERRAULT: Does the panel have any
19 questions?

20 PANELIST OLIVIER: Can we take like a 10- or
21 15-minute break?

22 JUDGE PERRAULT: You need 10 or 15?

23 PANELIST OLIVIER: Ten.

24 JUDGE PERRAULT: Ten-minute break.

25 (Recess taken at 2:39 p.m. Back on record

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 at 2:56 p.m.)

2 JUDGE PERRAULT: Today's date is February 6.
3 It's now 2:56. I'm Charles Perrault. I had
4 asked the panel if they had any questions for
5 our last witness, Mr. Kind. It's my
6 understanding y'all do not.

7 PANELIST OLIVIER: That's correct.

8 JUDGE PERRAULT: And thank you very much.

9 Y'all talked about Exhibit 4. Have you
10 offered that into evidence?

11 MR. GROSSMAN: Yes, Your Honor. Offer, file,
12 and introduce Exhibit 4 and including all
13 appendices, tables, and attachments.

14 JUDGE PERRAULT: Any objection?

15 MR. WIMBERLEY: No, Your Honor.

16 JUDGE PERRAULT: No objection. So ordered.
17 Exhibit 4 is admitted.

18 JUDGE PERRAULT: There was Exhibit GGG. Are
19 you trying to offer that now?

20 MR. WIMBERLEY: It's not necessarily, Your
21 Honor.

22 JUDGE PERRAULT: Okay.

23 All right. Call your next witness.

24 MR. GREGOIRE: Judge, our next witness will
25 be Dr. Helen Connelly. Her testimony, at

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 least her direct, will last more than an
2 hour. I know that this day ends at 4:00 p.m.
3 We propose, that is, Chevron, we propose that
4 we start her first thing in the morning.
5 This proceeding has gone a lot more
6 efficiently than we anticipated. We've gone
7 over four witnesses today, but we do not want
8 to break up her direct. So we would ask,
9 it's at your pleasure, however you want to
10 handle it.

11 JUDGE PERRAULT: I want to do whatever helps
12 y'all present your case. Any objection to
13 that?

14 MR. CARMOUCHE: I would just ask that the
15 same rules apply, Your Honor.

16 JUDGE PERRAULT: I'm going to treat everybody
17 the same. If I forget to do so, you let me
18 know.

19 Any objection to that, starting in the
20 morning?

21 PANELIST OLIVIER: No.

22 JUDGE PERRAULT: All right. We'll start at
23 9:00 o'clock tomorrow. And if there's
24 nothing further, this hearing is adjourned.

25 (Hearing adjourned at 2:57 p.m.)

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

REPORTER'S PAGE

1
2 I, DIXIE VAUGHAN, Certified Court
3 Reporter in and for the State of Louisiana, (CCR
4 #28009), as defined in Rule 28 of the Federal
5 Rules of Civil Procedure and/or Article 1434(B) of
6 the Louisiana Code of Civil Procedure, do hereby
7 state on the Record:

8 That due to the interaction in the
9 spontaneous discourse of this proceeding, dashes
10 (--) have been used to indicate pauses, changes in
11 thought, and/or talkovers; that same is the proper
12 method for a Court Reporter's transcription of
13 proceeding, and that the dashes (--) do not
14 indicate that words or phrases have been left out
15 of this transcript;

16 That any spelling of words and/or names
17 which could not be verified through reference
18 material have been denoted with the phrase
19 "(phonetic)";

20 That (sic) denotes when a witness stated
21 word(s) that appears odd or erroneous to show that
22 the word is quoted exactly as it stands.

23
24 DIXIE VAUGHAN, CCR
25

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

R E P O R T E R ' S C E R T I F I C A T E

1 I, Dixie Vaughan, Certified Court
2 Reporter (Certificate #28009) in and for the State
3 of Louisiana, as the officer before whom this
4 testimony was taken, do hereby certify that on
5 Monday, February 6, 2023, in the above-entitled
6 and numbered cause, the PROCEEDINGS, after having
7 been duly sworn by me upon authority of R.S.
8 37:2554, did testify as hereinbefore set forth in
9 the foregoing 242 pages;
10

11
12 That this testimony was reported by me
13 in stenographic shorthand, was prepared and
14 transcribed by me or under my personal direction
15 and supervision, and is a true and correct
16 transcript to the best of my ability and
17 understanding;
18

19 That the transcript has been prepared in
20 compliance with transcript format guidelines
21 required by statute or by rules of the board;
22

23 That I have acted in compliance with the
24 prohibition on contractual relationships, as
25 defined by Louisiana Code of Civil Procedure

DNR HEARING - HENNING MGMT. VS. CHEVRON DAY 1

1 Article 1434 and in rules and advisory opinions of
2 the board;

3

4 That I am not of Counsel, nor related to
5 any person participating in this cause, and am in
6 no way interested in the outcome of this event.

7

8 SIGNED THIS THE 22ND DAY OF FEBRUARY,
9 2023.

10

11

12

13

DIXIE VAUGHAN
Certified Court Reporter (LA)
Certified LiveNote Reporter

14

15

16

17

18

19

20

21

22

23

24

25